

UNITED STATES DISTRICT COURT
NORTHERN DISTRICT OF CALIFORNIA

Before The Honorable Vince Chhabria, Judge

EDWARD HARDEMAN,)	
)	
Plaintiff,)	
)	
VS.)	NO. C 16-00525 VC
)	
MONSANTO COMPANY,)	
)	
Defendant.)	
_____)	

San Francisco, California
Monday, February 25, 2019

TRANSCRIPT OF PROCEEDINGS

APPEARANCES:

For Plaintiff:

ANDRUS WAGSTAFF PC
7171 W. Alaska Drive
Lakewood, Colorado 80226
BY: AIMEE H. WAGSTAFF, ATTORNEY AT LAW
DAVID J. WOOL, ATTORNEY AT LAW

MOORE LAW GROUP
1473 South 4th Street
Louisville, Kentucky 40208
BY: JENNIFER MOORE, ATTORNEY AT LAW

(APPEARANCES CONTINUED ON FOLLOWING PAGE)

REPORTED BY: Marla F. Knox, RPR, CRR
Jo Ann Bryce, CSR No. 3321, RMR, CRR, FCRR
Official Reporters

APPEARANCES: (CONTINUED)

For Plaintiff:

AUDET & PARTNERS LLP
711 Van Ness Avenue - Suite 500
San Francisco, California 94102

BY: MARK E. BURTON, ATTORNEY AT LAW

For Defendant:

WILKINSON WALSH ESKOVITZ LLP
2001 M Street, NW - 10th Floor
Washington, D.C. 20036

**BY: BRIAN L. STEKLOFF, ATTORNEY AT LAW
RAKESH N. KILARU, ATTORNEY AT LAW
TAMARRA MATTHEWS JOHNSON, ATTORNEY AT LAW
JULIE RUBENSTEIN, ATTORNEY AT LAW**

I N D E X

Monday, February 25, 2019 - Volume 3

	<u>PAGE</u>	<u>VOL.</u>
Opening Statement by Ms. Wagstaff	318	3
Opening Statement by Mr. Stekloff	371	3

PLAINTIFF'S WITNESSESRITZ, DR. BEATE

(SWORN)

Direct Examination by Ms. Wagstaff

<u>PAGE</u>	<u>VOL.</u>
-------------	-------------

406 3

407 3

PROCEEDINGS

Monday - February 25, 2019

.m.

P R O C E E D I N G S

---000---

THE CLERK: Calling Case Number 16-CV-00521, Hardeman versus Monsanto Company, et al.

Counsel, please step forward and state your appearances for the record.

MS. WAGSTAFF: Good morning, Your Honor. Aimee Wagstaff on behalf of Mr. Hardeman, and along with me is Ms. Jennifer Moore.

MR. STEKLOFF: Good morning, Your Honor. Brian Stekloff on behalf of Monsanto. Along with me are Tamarra Matthews Johnson, Rakesh Kilaru and Julie Rubenstein.

THE COURT: Good morning.
Okay. What do we need to talk about this morning?

MR. KILARU: Your Honor, we had two pretty quick issues just related to the opening.

THE COURT: Okay.

MR. KILARU: The parties exchanged opening exhibits over the weekend, and there is one exhibit that the Plaintiffs put on their list that we had some concerns about. There are two actually. It is two sets of requests for admissions, one from the Johnson trial and then one from this case.

THE COURT: Okay.

MR. KILARU: So we would object to any use of the

PROCEEDINGS

1 Johnson admissions to this trial, both because there is already
2 a ruling that other litigation can't be mentioned, I believe,
3 and then also because the admissions for that case were for
4 that case only. But the actual admission is an admission --
5 let me pull it up to have the exact words. In this case it is
6 a request for admission that Monsanto has never warned any
7 consumer that exposure to GBS is associated with non-Hodgkin's
8 lymphoma. We think that getting into the issue of what we have
9 warned about is a Phase Two issue and not a causation issue.

10 **THE COURT:** Certainly is.

11 **MS. MOORE:** Your Honor, we have no intention of
12 mentioning the Johnson trial during opening or at any other
13 point during this trial. The slide, which is a party admission
14 or request for admission, is not mentioning the Johnson trial.
15 It is important that Your Honor knows that.

16 The second thing is we have an agreement that all
17 discovery, regardless of where it comes from, is fair game in
18 this case. The issue about whether this is Phase One or
19 Phase Two is that our concern is the jurors are coming in here
20 with certain assumptions, and we heard through jury selection
21 that some of the assumptions was did Mr. Hardeman use the
22 product correctly; did he use it as was warned.

23 **THE COURT:** Did he describe the request for admission
24 in the response accurately?

25 **MS. MOORE:** That's correct, Your Honor.

PROCEEDINGS

1 **THE COURT:** It is not admissible in Phase One,
2 clearly.

3 **MS. MOORE:** Thank you, Your Honor.

4 **THE COURT:** Not even close.

5 **MS. MOORE:** Thank you, Your Honor.

6 **THE COURT:** Anything else?

7 **MS. MOORE:** Your Honor, one issue and it relates to
8 Dr. Ritz who is our first witness today. It came about during
9 Dr. Portier's cross-examination last week that there was a
10 series of questions asked by Monsanto's counsel that were
11 definitely geared towards case specific opinion; and as a
12 matter of the bifurcation of this matter, the Court is aware
13 that Dr. Portier was disclosed as a general causation expert
14 only. And so these questions were, did you review
15 Mr. Hardeman's medical records; do you know that he has
16 hepatitis; did you know that he had that. It was a series of
17 questions intended to impeach Dr. Portier's credibility to show
18 the jury he doesn't know anything about this particular case.
19 And that, you know, is improper, Your Honor. And our concern
20 is that this is the same thing they are going to attempt to do
21 with Dr. Ritz on cross; and we would like a ruling in advance
22 so those questions aren't asked of Dr. Ritz.

23 **THE COURT:** Why isn't it easy for a witness to deal
24 with that simply by saying, Well, I wasn't asked to opine on
25 whether Mr. Hardeman's NHL was caused by Roundup? I'm only

PROCEEDINGS

1 giving an opinion on whether it is capable of causing cancer
2 generally?

3 **MS. MOORE:** Well, for us, for lawyers and judges, we
4 would understand that. My concern is that the jury doesn't --
5 will not understand that that is a byproduct of the bifurcation
6 of this matter and that Dr. Ritz was solely disclosed as a
7 general causation expert, not a case specific expert. There is
8 no doubt that they are going to use that to their advantage.
9 Even though they asked for the bifurcation of this case, they
10 are now going to try to use that to their advantage to say she
11 doesn't know anything about Mr. Hardeman to discredit
12 everything she is trying to say about general causation.

13 **THE COURT:** Saying she doesn't know anything about
14 Mr. Hardeman doesn't discredit her opinion on general causation
15 if she is capable of accurately describing what her opinion on
16 general causation is.

17 **MS. MOORE:** Well, she is, Your Honor.

18 **THE COURT:** So obviously if, you know, presumably
19 after her direct, it should be not necessary for Monsanto to
20 establish the point that you are describing because Dr. Ritz
21 will already have established it in her direct; but if Monsanto
22 wants to ask a couple questions to hit that point home, I
23 don't -- I don't see what the big deal is.

24 **MS. MOORE:** Well, our position is it would go beyond
25 the scope of direct.

PROCEEDINGS

1 **THE COURT:** At some point I will shut it down if it
2 goes on for too long; but I don't think -- I don't think I can
3 make a ruling in advance that that sort of questioning is
4 inappropriate because it depends on how the direct comes in.

5 **MS. MOORE:** Okay.

6 **THE COURT:** And if there is any implication, she has
7 an opinion of Hardeman, then, of course, it would be
8 appropriate on cross; and a couple questions on it might be
9 appropriate on that topic. Might be appropriate on cross
10 regardless. Those questions and answers would do nothing to
11 discredit Dr. Ritz.

12 **MS. MOORE:** Okay. Thank you, Your Honor.

13 And so we will take that up, you know, on direct. She is
14 not going to be talking about Mr. Hardeman in particular.

15 Going back to, Your Honor, about the instruction about the
16 RFA, at the appropriate time, can we have a curative
17 instruction that the jury is not to consider warnings in
18 Phase One?

19 **THE COURT:** The jury is not to consider warnings?

20 **MS. MOORE:** Well, the whole issue is, you know, was
21 whether Mr. Hardeman using the product, that is our concern,
22 that the jury is going to come in here with assumptions
23 about --

24 **THE COURT:** What does that have to do with whether it
25 caused his cancer? The first phase, as we have been discussing

PROCEEDINGS

1 for the last couple months, is whether it caused his cancer.

2 **MS. MOORE:** Right. I understand that, Your Honor.
3 Our concern is that the jury is going to come in here with
4 assumptions, which is something that Your Honor pointed out in
5 your MIL ruling in Pretrial Order Number 81, that you had this
6 concern with regard to general assumptions that the jury may
7 make, that would allow Monsanto -- I believe, this was in
8 response to Motion --

9 **THE COURT:** What is the assumption that you think the
10 jury is going to make that you want cured by an instruction
11 about warnings?

12 **MS. MOORE:** That the label said to wear gloves or a
13 mask --

14 **THE COURT:** But what does that --

15 **MS. MOORE:** -- or pants.

16 **THE COURT:** But what does that have to do with whether
17 that caused cancer? I don't understand.

18 **MS. MOORE:** Because they can say, Well, if he had been
19 wearing gloves or a mask or a hazmat suit or pants or
20 closed-toe shoes, then he wouldn't have gotten cancer.

21 **THE COURT:** Yeah, but he still got it from the
22 Roundup. I mean, if your argument is to be believed, he still
23 got it from the Roundup; and it doesn't matter at Phase One
24 whether he was wearing gloves or not.

25 **MS. MOORE:** The only thing there is -- the warning

PROCEEDINGS

1 never says you have to do that. The warning is completely
2 silent.

3 **THE COURT:** But they are not going to hear any
4 evidence of a warning or lack of a warning at Phase One, so why
5 does it matter?

6 **MS. MOORE:** Well, it matters because they may come in
7 here with an erroneous assumption that you do have to wear
8 those things.

9 **THE COURT:** But I don't understand why that is -- why
10 that is relevant to whether his cancer was caused by Roundup or
11 something else.

12 **MS. MOORE:** I understand, Your Honor.

13 **THE COURT:** Anyway, you can -- before the close of
14 Phase One, you can request instructions based on -- based on
15 how the evidence comes in at trial. But certainly I'm not
16 going to give them something like that during Phase One, and I
17 would be shocked if it were appropriate to give them an
18 instruction like that at the close of Phase One before their
19 deliberations.

20 **MS. MOORE:** That's fair. Thank you, Your Honor, for
21 allowing us to re-visit it.

22 **MR. KILARU:** We have one thing about the deposition
23 ruling that my colleague will address.

24 **MS. RUBENSTEIN:** Good morning, Your Honor. Julie
25 Rubenstein on behalf of Monsanto. Sorry about that.

PROCEEDINGS

1 We were hoping that Your Honor would reconsider a couple
2 of rulings from the treating depositions. I think -- I don't
3 need to take them all up right now. One of them may
4 potentially be relevant to openings.

5 **THE COURT:** Go ahead.

6 **MS. RUBENSTEIN:** Do you have transcripts with you? It
7 not, I will hand you one.

8 **THE COURT:** I don't.

9 **MS. RUBENSTEIN:** This one has to do with the
10 deposition of Dr. Ye.

11 **THE COURT:** Okay. Remind me. It might be fresh in my
12 mind. Tell me about it.

13 **MS. RUBENSTEIN:** Dr. Ye is Mr. Hardeman's treating
14 oncologist.

15 **THE COURT:** Right. But remind me of the --

16 **MS. RUBENSTEIN:** And, Your Honor, the part that I
17 wanted to raise with you was that page 143, there is a section
18 of testimony beginning actually at the bottom of 142 that you
19 did allow in regarding the cause of non-Hodgkin's lymphoma
20 generally.

21 **THE COURT:** If I remember correctly, I allowed in up
22 to line 2, and then I said line 3 and 4 is not admissible.

23 **MS. RUBENSTEIN:** That's right, Your Honor. We would
24 respectfully ask that you reconsider that ruling. I presume
25 that you sustained the objection as to those two lines on the

PROCEEDINGS

1 basis of the objection here that it calls for expert testimony,
2 and we believe that this is really just percipient witness
3 testimony about his treatment, diagnosis and opinion --

4 **THE COURT:** I understand --

5 **MS. RUBENSTEIN:** -- of Mr. Hardeman.

6 **THE COURT:** -- but I think from the remainder of the
7 testimony that I allowed in, it is fairly clear, that A, the
8 oncologist didn't inquire into the cause of Mr. Hardeman's NHL;
9 and B, the oncologist is not offering any opinion on the cause
10 of Mr. Hardeman's NHL.

11 **MS. RUBENSTEIN:** That's right, Your Honor.

12 **THE COURT:** I don't understand. I thought that you
13 have to draw lines when you are going through this kind of
14 testimony. And I thought, you know, that is sufficient to
15 avoid -- I mean, I thought the general principle -- one of the
16 general principles that I applied when I was going through this
17 testimony is we want to make sure the jury is not under a
18 misimpression that the doctors whose -- who are being called by
19 the Plaintiff believe that his -- that his cancer was caused by
20 Roundup; right?

21 And so I allowed enough of that testimony in to establish
22 that none of these doctors inquired into whether his cancer was
23 caused by Roundup and none of the doctors is offering an
24 opinion on whether his cancer was caused by Roundup. But, you
25 know, to -- I don't understand, I guess -- maybe it wouldn't be

PROCEEDINGS

1 a huge deal to let that in; but in light of the fact that the
2 testimony being allowed in establishes that, I don't really
3 understand why it is important to get that in.

4 The other thing about that question and answer is that the
5 way the question was asked, it sort of goes beyond the issue of
6 whether the doctor looked into it or whether the doctor has an
7 opinion. The question and answer could leave the impression
8 that the doctor believes that there is no known cause of the
9 cancer as opposed to not having an opinion about whether there
10 is a cause to the cancer. So I think that question and answer
11 is misleading a little bit.

12 **MS. RUBENSTEIN:** Well, Your Honor, I think that -- I
13 think you might have hit the nail on the head. I think this
14 testimony is different from the testimony about not having an
15 opinion in the sense that the doctor says, "I cannot attribute
16 a cause to this" --

17 **THE COURT:** I understand --

18 **MS. RUBENSTEIN:** -- "this cancer," and we think it is
19 relevant.

20 **THE COURT:** I understand your argument. I'm not going
21 to reconsider that ruling.

22 Was there another one?

23 **MS. RUBENSTEIN:** Well, there was a few more; but none
24 of the others are relevant for openings, so I don't know if
25 Your Honor would prefer to take them up at a different time

PROCEEDINGS

1 before the testimony is played. I don't want to waste the
2 Court's time now.

3 **THE COURT:** If you want to knock them out now -- I
4 mean, we have a few minutes if you want to knock them out now.

5 Let me do this first. The only other thing I wanted to
6 talk to you-all about is the depo designations. When am I
7 going to get the other depo designations so that I can review
8 them and not be -- and not be forced to review them at the 11th
9 hour?

10 **MS. MOORE:** Your Honor, we are diligently working on
11 that, both sides. We had meet-and-confers on Saturday and
12 Sunday, and several e-mails last night, even after midnight
13 between us. My understanding is we are finalizing Dr. Ross and
14 Dr. Reeves to be filed this morning with the Court, and we can
15 hand over hard copies of that, those transcripts similar to how
16 it was done with Dr. Turley. And then we are also finalizing
17 Dr. Goldstein's corporate representative deposition and
18 Dr. Blair. So there should be four that should be ready to go
19 this morning that we can discuss this afternoon.

20 I understand Your Honor needs time to look at it. There
21 might be some global issues that we can address after the jury
22 is excused today that will give us guidance on many of the
23 issues that are still left. We have narrowed it done pretty
24 substantially.

25 **MS. RUBENSTEIN:** Your Honor, I would just flag that

PROCEEDINGS

1 Monsanto does object to the admission of some of the testimony
2 that Ms. Moore just mentioned, and that will be noted in the
3 pleading that gets filed.

4 **THE COURT:** Okay. So do you want to knock out a
5 couple of these other issues?

6 **MS. RUBENSTEIN:** Sure. I would be happy to.

7 So the other one I have is also in Dr. Ye's transcript on
8 page 132, lines 2 to 13.

9 **THE COURT:** Okay.

10 **MS. RUBENSTEIN:** Sort of the same argument as before,
11 Your Honor, we believe that this is all based on his care,
12 treatment and opinion about Mr. Hardeman from having been his
13 treating doctor.

14 **THE COURT:** I understand that. The age -- the concept
15 of age being a risk factor is a controversial concept, and I
16 think that the problem with bringing this doctor's testimony in
17 on that topic is that it is not clear whether the doctor is
18 using that sort of in more of colloquial terms or more precise
19 terms, precise scientific terms. And you didn't get into with
20 this -- nobody got into it with this doctor, who is not serving
21 as an expert witness, what it means to call age a risk factor
22 and how that might be different from calling hepatitis C a risk
23 factor or Roundup a risk factor. And so I think it is
24 potentially misleading to have it here, and I'm not
25 reconsidering that ruling.

PROCEEDINGS

1 **MS. RUBENSTEIN:** Thank you, Your Honor.

2 The next one I have is, I believe, in the deposition of
3 Dr. Turk, so I can hand that to you as well.

4 **THE COURT:** Sure. Should I hand this one back down?
5 I am trying to avoid --

6 **MS. RUBENSTEIN:** Sure. I can certainly take that one
7 back.

8 **THE COURT:** -- accumulating too much paperwork up
9 here.

10 **MS. RUBENSTEIN:** And this one, Your Honor, is Dr. Turk
11 at page 119 to 120.

12 **THE COURT:** Okay.

13 **MS. RUBENSTEIN:** And this is testimony, Your Honor,
14 about --

15 **THE COURT:** Hold on. Let me --

16 **MS. RUBENSTEIN:** Absolutely. Lines -- page 119, line
17 17 through page 120, line 9.

18 (Whereupon, a brief pause was had.)

19 **THE COURT:** Okay.

20 **MS. RUBENSTEIN:** This testimony is specific enough to
21 Mr. Hardeman in the sense that it is talking directly about
22 Dr. Turk's medical records and whether --

23 **THE COURT:** Dr. Turk made it clear earlier that it
24 wouldn't have been Dr. Turk's role to get into whether his NHL
25 was caused by Roundup.

PROCEEDINGS

1 **MS. RUBENSTEIN:** Well, we think it is significant that
2 the discussion was never even had that Mr. Hardeman never
3 asked --

4 **THE COURT:** If I recall correctly, there was -- I
5 allowed some testimony about that in from --

6 **MS. MOORE:** You did, Your Honor.

7 **THE COURT:** -- from Dr. Ye, the oncologist. It is not
8 coming in from this doctor. I'm not reconsidering this ruling.

9 **MS. RUBENSTEIN:** Thank you, Your Honor.

10 I have one last one. This is from Dr. Turley, so I will
11 hand that up.

12 **MS. RUBENSTEIN:** This is very similar, Your Honor.
13 Page 41, lines 3 to 6.

14 **THE COURT:** For the same reason, I'm not reconsidering
15 that ruling.

16 **MS. RUBENSTEIN:** Okay. Thank you very much,
17 Your Honor.

18 **MS. MOORE:** We don't have anything else, Your Honor,
19 for this morning.

20 **THE COURT:** Great. We will be back here at 8:30 sharp
21 to bring back the jury.

22 I have one more item. I apologize. Just to preview it
23 for you, so the juror who we talked about --

24 **MS. MOORE:** Yes.

25 **THE COURT:** -- the other day, I spoke with his

PROCEEDINGS

1 employer. And here is the situation, and what I told him is
2 that we will talk to him about it after the trial day today.

3 **MS. MOORE:** Okay.

4 **THE COURT:** The situation is that he regularly works
5 five shifts -- Wednesday, Thursday, Friday, Saturday and
6 Sunday. He, of course, could continue working Saturday and
7 Sunday and could continue working Thursday. He is going to
8 lose Wednesday and Friday.

9 I spoke with the employer about paying him anyway.
10 Unfortunately his employment is covered by a collective
11 bargaining agreement, and it would likely be illegal for Kaiser
12 to pay him for his jury service for those two days and -- and
13 he -- so, you know, he is concerned.

14 I spoke with the employer about it on Friday, and I spoke
15 with him about it this morning. He continues to be very
16 concerned given that his wife's hours were cut on the day of
17 jury selection; that he is not going to be able to serve. So I
18 didn't -- I didn't tell him one way or another how it was going
19 to come out, but I said that we would keep him afterwards today
20 and talk to him further and that I would permit the lawyers, if
21 they wanted to, to ask him questions and then I may have some
22 additional questions for him. Okay?

23 **MS. MOORE:** Thank you, Your Honor.

24 **THE COURT:** That will happen when we are done. See
25 you in a few minutes.

PROCEEDINGS

1 **MS. MOORE:** Thank you.

2 **THE CLERK:** Court is in recess.

3 (Whereupon, a short break was had.)

4 (Proceedings were heard in the presence of the jury:)

5 **THE COURT:** Good morning. Good morning, everybody.

6 As I mentioned last time, we are expecting this trial to last
7 four to five weeks. We understand that that is a significant
8 investment of your time, so we are doing a number of things to
9 make sure that we are going to run this thing as efficiently as
10 possible and not waste any of your time. One of those things,
11 by the way, is that I'm imposing time limits on both sides and
12 they will be on a clock throughout the trial.

13 Another thing is we will be conducting the trial in
14 phases, which means that we will be calling on you to
15 deliberate on certain questions as we progress. In the first
16 phase, you will be asked to determine simply whether
17 Mr. Hardeman can prove that his use of Roundup caused his
18 non-Hodgkin's lymphoma. The medical causation question is what
19 the lawyers' opening statements will be about at this point,
20 and we will be hearing from witnesses on that subject as we
21 begin the trial.

22 Later, in subsequent phases, we will be addressing
23 different issues, different aspects of Mr. Hardeman's claims as
24 the trial progresses. And the lawyers will be able to speak to
25 you on those different topics as we move forward, but right now

OPENING STATEMENT / WAGSTAFF

1 the first question is the medical causation question; and we
2 will begin with the lawyers' opening statements.

3 Ms. Wagstaff.

4 OPENING STATEMENT

5 **MS. WAGSTAFF:** May it please the Court, Counsel,
6 ladies and gentlemen of the jury, good morning.

7 Before I introduce myself, I want to take a moment to
8 thank you guys. We live in a country where we are allowed to
9 have a jury by our peers, and it is a wonderful thing that we
10 have; but what comes along with that is the burden of coming
11 here for a month for you guys. We know it is a big investment
12 on your time. We know it is an investment by you, for your
13 families, for your jobs, for your animals, your dogs, for
14 everyone.

15 So for that, I thank you. I thank you on behalf of my
16 team and my client and on behalf of Monsanto. So thank you.

17 My name is Aimee Wagstaff, and I represent Mr. Hardeman in
18 his case against Monsanto. You had an opportunity to meet my
19 colleague Jennifer Moore last week, and we have the honor and
20 privilege of representing the Hardemans.

21 You also met Ed Hardeman last week. I would like to take
22 a moment to introduce you to his wife, Mary Hardeman, who was
23 unable to make it last week.

24 Mary and Ed met back in 1975. They met here in the Bay
25 area. They met at a graduation party, and pretty soon after Ed

OPENING STATEMENT / WAGSTAFF

1 asked her out on their first date and they went -- it was on
2 New Year's Eve, wasn't it? And they went out on New Year's
3 Eve, and the surprise was that Ed brought his entire family on
4 that first date; and pretty soon thereafter they knew they were
5 going to be together for the rest of their lives. So they got
6 married in 1979, and they have been together ever since; and
7 she really has been the rock for Ed throughout this entire
8 process.

9 So let me tell you their story. On Christmas Day in
10 2015 -- '14 -- I'm sorry, Christmas Day 2014, Ed wakes up and
11 he finds a lump on his throat. He is getting ready to go down
12 to Daly City where his niece and nephew live. His sister had
13 recently passed away, and it was really important for him to
14 spend the holiday with his niece and nephew.

15 So he wakes up and he sees this lump on his throat, and he
16 is shaving his face and he calls Mary in and says, "What is
17 this lump? What is going on?"

18 She says, "I don't know. I don't know what it is. Let's
19 go to" --

20 **THE COURT:** Ms. Wagstaff, can we limit the opening
21 statement to the topic that Phase One is about, as we have
22 discussed.

23 **MS. WAGSTAFF:** Sure.

24 **THE COURT:** Thank you.

25 **MS. WAGSTAFF:** So Mr. Hardeman goes to the doctor the

SIDEBAR

1 next day, and he looks for his treating physician who is not
2 there. He is on vacation because it is December 26th.

3 So he goes in and he meets with the treating physician,
4 the on-call doctor, who tells him to just monitor it. You will
5 hear -- you will hear Mr. Hardeman tell you that he didn't want
6 to wait; that he knew something was going on. So he comes back
7 to Kaiser where he is treated. The Hardemans live up in Santa
8 Rosa, just north of here. So he goes back after his family
9 physician comes back from the holiday. And on the first visit
10 Dr. Turk sends him down to the ENT, which is the ear, nose and
11 throat doctor. So he goes into the ENT doctor and he starts
12 getting needles drawn; starts getting needles poked in there;
13 biopsies taken. They want to pull out tissue. They want to
14 figure out what is going on in his neck. Blood is drawn.

15 He has to wait for the results.

16 Finally, the results come back and the tissue is dead. So
17 he has to go back in and get drawn again, get needles poked
18 back into his neck again.

19 **THE COURT:** Can we have a sidebar, please?

20 (The following proceedings were heard at the sidebar:)

21 [REDACTED] [REDACTED]

22 [REDACTED] [REDACTED]

23 [REDACTED]

24 [REDACTED]

25 [REDACTED]

OPENING STATEMENT / WAGSTAFF

1 [REDACTED] [REDACTED]
2 (The following proceedings were heard in open court:)

3 **MS. WAGSTAFF:** Eventually Mr. Hardeman is diagnosed
4 with cancer on Valentine's Day 2015. He is diagnosed by
5 Dr. Ye, his treating oncologist from Kaiser, up in Santa Rosa.
6 Ad Dr. Ye diagnoses Mr. Hardeman with Stage 3 non-Hodgkin's
7 lymphoma. He diagnoses him with a subtype of non-Hodgkin's
8 lymphoma called diffuse large B-cell lymphoma. But you will
9 hear testimony it is a very aggressive form of non-Hodgkin's
10 lymphoma.

11 So we are here today to look at the whole puzzle. This
12 case, and your job is to put all of the pieces together and
13 figure out what caused Mr. Hardeman's cancer. You heard the
14 judge tell you a few moments ago that this trial is going to be
15 in phases, and the first phase is going to be what caused
16 Mr. Hardeman's cancer.

17 And so myself and Mrs. Moore are going to give you pieces
18 to that puzzle over the next few weeks. What we ask of you is
19 that you put all those pieces together to help figure out what
20 causes -- what caused his lymphoma.

21 Now, there is no dispute that Mr. Hardeman has been
22 diagnosed with non-Hodgkin's lymphoma, just to be clear. So I
23 have put out a map, and I want to tell you what is going to
24 happen for the next few weeks. If I was in your shoes, I would
25 want to know what is going to be going on.

OPENING STATEMENT / WAGSTAFF

1 So, first, we have Phase One. And as the judge just told
2 you, you guys will have one question to answer: Was
3 Mr. Hardeman's exposure to Roundup a substantial factor in
4 causing his non-Hodgkin's lymphoma?

5 **MR. STEKLOFF:** Your Honor.

6 **THE COURT:** We will get to Phase Two when we get to
7 Phase Two. You can take down that slide.

8 **MS. WAGSTAFF:** I moved on.

9 We are going to go -- I'm going to tell you what is going
10 to happen in Phase One. First, we have opening statements,
11 which I'm doing. Then Monsanto's lawyer will go right after
12 me, and Mr. Hardeman is going to put on his case.

13 We are going to bring in witnesses. We are going to show
14 you documents, and we are going to give you other pieces of
15 evidence. What I'm saying right now is not evidence. I'm just
16 sort of explaining what we are going to show you.

17 Then Monsanto is going to come up, and they are going to
18 present to you witnesses; give you evidence and give you other
19 testimony.

20 Then we are going to come up and we are going to do
21 closing arguments. And I'm going to stand up, just like I am
22 right now, and I'm going to argue what you have just heard.
23 And Monsanto's lawyer will do the same thing.

24 And then you guys will decide whether or not
25 Mr. Hardeman's exposure to Roundup caused his non-Hodgkin's

OPENING STATEMENT / WAGSTAFF

1 lymphoma.

2 Now, throughout the course of the next few weeks, we are
3 going to bring you some witnesses. We are going to bring you
4 witnesses from Monsanto, both current and former employees.
5 Now, this trial is happening in San Francisco, and these people
6 don't live in San Francisco, so we can't force them to come
7 here. So what we have done over the past couple of years is we
8 have taken depositions of Monsanto employees. And we can't
9 force them to come here, like I just said, so we will play them
10 to you --

11 **MR. STEKLOFF:** Your Honor, may we approach?

12 **THE COURT:** I know what your objection is going to be.
13 It is overruled.

14 **MS. WAGSTAFF:** So we will play them for you via
15 deposition. So you will see them on the monitors right in
16 front of you. We intend to bring you deposition testimony from
17 Dr. William Reeves, Dr. Daniel Goldstein, Dr. Donna Farmer and
18 Dr. David Saltmiras. And those are all either current or
19 former Monsanto employees.

20 Now, as the trial goes along, we may add a few other
21 employees or we may take one of those depositions down. Those
22 are who we intend to bring.

23 But here is what I'm going to talk to you about today.
24 There is three real phases of my opening statement I want to go
25 over with you today. The first one is what is Roundup; right.

OPENING STATEMENT / WAGSTAFF

1 We all probably know that Roundup is a weed killer sold by
2 Monsanto, but maybe we don't know what Roundup is.

3 Next, I'm going to talk to you about can Roundup cause
4 cancer. Is it possible? Is it within the realm of the
5 universe that Roundup can cause cancer? And then I'm going to
6 talk to you about whether or not Roundup caused Mr. Hardeman's
7 cancer, different questions.

8 Once I walk you down those three, I am going to sit down,
9 and Mr. Stekloff will talk to you about Monsanto.

10 So Roundup. You are going to hear testimony from
11 Mr. Hardeman, probably next week, about his Roundup use; and
12 you are going to hear that Mr. Hardeman started spraying
13 Roundup in 1986. You are going to hear he sprayed Roundup
14 through and including 2011, 2012, somewhere around that time.
15 You are going to hear that he used two products -- two main
16 products over the course of that 26, 27 years. You are going
17 to hear that he used Roundup Concentrate and Roundup
18 Concentrate Plus.

19 You are going to hear him testify about how he has really
20 only lived in two houses during that period of time, and he is
21 a creature of habit; and he would go to the same stores and buy
22 the product. It was called Yard Bird at the time -- he was
23 living just north of Santa Rosa -- and you are going to hear
24 him talk about how he bought these products because they came
25 in a concentrate form so he thought it would last longer. You

OPENING STATEMENT / WAGSTAFF

1 are going to hear him describe how he had a two-gallon pump and
2 how he would put concentrate in the pump, dilute it with water,
3 and then walk around and spray. He is going to testify to you
4 and tell you-all about his exposure activities.

5 So what is Roundup? Roundup is actually not that
6 complicated of a product. This is maybe a new word for you
7 guys, but you are going to hear it a lot over the next month:
8 Glyphosate.

9 There's four main ingredients in Roundup, and you are
10 going to hear testimony about this. Glyphosate is the active
11 ingredient. It is what actually goes in and kills the weed.
12 There is no dispute about that glyphosate is the active
13 ingredient in Roundup. And you are going to hear testimony
14 that the other ingredients -- they have a surfactant, all
15 right, and they have a surfactant in there which actually you
16 will hear testimony helps sort of reduce the surface tension of
17 the glyphosate and sort of adhere it to the plant.

18 So if you can picture taking a glass of water, we will
19 just say, and pouring it on a plant, it will all just fall off;
20 right? You will hear testimony that the surfactant actually
21 helps bind the glyphosate to the plant.

22 And then you are going to hear testimony that water is in
23 Roundup, and then you are going to hear testimony that there
24 are other contaminants, other sort of byproducts in Roundup.
25 So those four main ingredients.

OPENING STATEMENT / WAGSTAFF

1 And I showed you a picture how there are two different
2 products Mr. Hardeman used, and those four main ingredients,
3 the ratios that -- the ratios of those ingredients are what
4 makes the different products different. One may have more
5 water; one may have more glyphosate. You get the picture.

6 So the important takeaway and the first piece of this
7 puzzle that we need -- and the first piece of information that
8 you guys need to understand is that glyphosate and Roundup are
9 not the same.

10 You are going to hear testimony that the combination of
11 glyphosate, with all of those other ingredients, the surfactant
12 is actually more toxic than glyphosate alone. You are going to
13 hear testimony to that effect. You are going to hear evidence
14 to that effect.

15 So you need to remember that when people are talking about
16 glyphosate, they are not necessarily talking about Roundup. So
17 the first piece is to remember and put those two pieces
18 together. That is the first piece of the puzzle, scientific
19 puzzle. Now -- we have talked about what is Roundup.

20 Now I want to talk about can Roundup cause cancer. Now,
21 this discussion is going to walk us through three main pillars
22 of science. There is three main things that you need to
23 consider as pieces to the second question. You are going to
24 hear testimony that you can't look at these pieces in
25 isolation. You are going to hear testimony that you can't

OPENING STATEMENT / WAGSTAFF

1 consider the epidemiology studies alone. You are going to hear
2 you can't consider the animal studies alone, and you are going
3 to hear testimony that you can't consider the cell data studies
4 alone.

5 The testimony you are going to hear is going to tell you
6 you need to look at all three of those pieces together to get a
7 full picture of whether or not Roundup causes cancer.

8 I'm not going to be the one to teach you that. We are
9 going to bring in witnesses. This is Dr. Ritz. Dr. Ritz is
10 actually probably going to testify this afternoon. Depending
11 on how long I take and how long Mr. Stekloff takes, Dr. Ritz, I
12 anticipate, will either talk to you guys either right before
13 lunch or right after lunch. And Dr. Ritz is a professor at the
14 University of California, Los Angeles at UCLA. She is a
15 medical doctor and a Ph.D. in epidemiology.

16 Dr. Ritz will explain to you what epidemiology is. It is
17 a big word. She will tell you for the concept of studying
18 human populations. She is going to tell you that really what
19 epidemiology does is it looks at this group of people and
20 compares them to that group of people to see which one has a
21 higher risk of getting a disease. There is a lot of fancy
22 lingo she is going to use, and she will explain it all to you.
23 But that's basically the core, she will tell you.

24 We brought in a professor because we wanted her to be able
25 to teach to you guys. She also happens to be the president of

OPENING STATEMENT / WAGSTAFF

1 the International Society of Environmental Epidemiology, the
2 current president. She is going to tell you that there is a
3 difference between environmental epidemiology and good
4 old-fashioned epidemiology. She is going to tell you that
5 environmental epidemiologists consider pesticides in human
6 populations. That is what environmental epidemiologists do.
7 She is going to tell you that.

8 I met with her last night, and she actually told me that
9 she is --

10 **THE COURT:** That's -- that is not appropriate.

11 **MS. WAGSTAFF:** All right.

12 Next we are going to bring in Dr. Portier. Dr. Portier
13 has his Ph.D. in biostatistics. Dr. Portier was supposed to
14 testify live; but for reasons outside of our control, he
15 couldn't be here. So last week my colleague flew to Melbourne,
16 Australia and videotaped him. We thought it was that important
17 to bring you his testimony, that you guys will see him by video
18 probably later this week or early next week, depending on how
19 fast we can get the video cut.

20 So you are going to hear from Dr. Portier, and you are
21 going to learn that Dr. Portier was the former associate
22 director of the National Toxicology Program. And you will hear
23 that Dr. Portier basically has had his fingerprint on most of
24 the policies and guidelines of the United States Toxicology
25 Board. You are going to hear that from him. So we brought him

OPENING STATEMENT / WAGSTAFF

1 in. And Dr. Portier is going to testify to you-all about the
2 animal studies. Dr. Portier is also going to testify to
3 you-all about the cell studies, the data studies.

4 Next, we are going to bring in Dr. Weisenburger.
5 Dr. Weisenburger is a clinician pathologist down in the
6 Los Angeles area, and he works at the City of Hope, which is a
7 world renowned cancer center. And you are going to learn that
8 Dr. Portier (sic) has dedicated his life's work to determining
9 the cause of people's cancer. He is a researcher. He is an
10 author. You are going to hear from him he has published over
11 434 peer-reviewed -- pieces of literature. That is 434
12 articles where his colleagues have reviewed his work and
13 published it, and you are going to hear from him on what he
14 thinks is going on with this literature.

15 Dr. Weisenburger is also the author of some of the
16 literature we are going to show you. So you are going to hear
17 firsthand from one of the people who was involved in the
18 scientific literature.

19 All right. So let's go back to the pillars of cancer
20 science. Let's first talk about the epidemiology.

21 All right. This case is about Mr. Hardeman's cancer. Can
22 Roundup cause cancer? The cancer we are specifically talking
23 about in this case is non-Hodgkin's lymphoma. Now, you are
24 going to hear testimony that cancer is actually a rare disease.
25 You are going to hear testimony that non-Hodgkin's lymphoma is

OPENING STATEMENT / WAGSTAFF

1 a blood cancer. It starts in the blood and it stays in the
2 blood. So the epidemiology we are going to consider in this
3 case is going to relate to non-Hodgkin's lymphoma. We are
4 going to -- there is epidemiology about probably everything you
5 could possibly want epidemiology about, but we are going to
6 limit it to non-Hodgkin's lymphoma and glyphosate.

7 So what we are going to do is Dr. Ritz and I are going to
8 walk you through this chart in great detail, and that blank
9 white study -- or that blank white column right there, by the
10 time Dr. Ritz gets off the stand, we will have filled in all of
11 those charts, and you will know a lot about each one of those
12 studies. And what you will learn -- what I will show you, and
13 I will just explain to you to orient you -- Dr. Ritz will
14 explain to you where it says study in parentheses, this first
15 one where it says *Hardell, et al.* 1999, that is the lead
16 author of a -- of a scientific literature, of a journal
17 article, and then the 1999 means the year that it was
18 published. So this chart is depicting nine pieces of
19 literature, and we will walk through each one.

20 And what this shows, when you are finished and what
21 Dr. Ritz is going to explain to you, looking at this first one,
22 the *Hardell*, you are going to see that there is an increased
23 risk of non-Hodgkin's lymphoma after exposure to glyphosate.
24 But there is a thing called "statistical significance," which
25 you will learn a lot about, and it is a way of determining

OPENING STATEMENT / WAGSTAFF

1 whether or not the result happened by chance.

2 So this result wasn't statistically significant, so you
3 will learn in the third row -- these are actually
4 chronological. So you will see in the third row *Hardell* pops
5 up again three years later. You will learn that the authors in
6 *Hardell* added cases to their study. They almost did sort of a
7 Phase Two of their study. And Dr. Ritz will tell you that that
8 added power to the study and that took chance further out of
9 the picture. Dr. Ritz will tell you that. And they reached
10 statistical significance in *Hardell*. And Dr. Ritz will explain
11 to you why the *Hardell* example is a great example of why you
12 can't ignore cases that aren't statistically significant.
13 Dr. Ritz will explain that to you.

14 I'm going to go back to the *McDuffie* case that is
15 sandwiched between the two *Hardell* cases. Dr. Ritz will
16 explain a concept to you called dose response. Dose response
17 is sort of what it sounds like, but Dr. Ritz will tell you that
18 dose response means the more dose or the more exposure you
19 have, the more risk you have.

20 So the *McDuffie* study was a Canadian study, and actually
21 the *McDuffie* author looked at eight providences in Canada;
22 gathered a lot of people and looked at dose response as part of
23 the analysis. They also looked at never-ever analysis, which
24 you will learn about later. But one of the things that
25 *McDuffie* looked at was they considered, Dr. Ritz will tell you,

OPENING STATEMENT / WAGSTAFF

1 they considered does the risk increase with the amount of dose
2 that you get? And they used a two-day limit. And they said if
3 you are exposed to glyphosate more than two days a year, does
4 your risk go up for people that are exposed to glyphosate less
5 than two days a year? *McDuffie* found the answer to be yes, it
6 does. So she is going to explain to you the importance of dose
7 response.

8 And then next we get to *De Roos* 2003. I'm skipping back
9 over the *Hardell*, the second piece of that block, and Dr. Ritz
10 is going to explain to you the importance of *De Roos* 2003.
11 What Dr. Ritz is going to tell you is you are going to learn a
12 lot about something called confounders. And Dr. Ritz is going
13 to explain it far better to you than me. That's why we brought
14 in a professor from UCLA. She is going to explain to you when
15 you need to consider confounders and when you don't. Dr. Ritz
16 is going to tell you that.

17 The important thing about *De Roos* is she is going to tell
18 you that the *De Roos* authors actually adjusted for 47
19 confounders, and she is going to explain to you that that makes
20 their findings even more important. And guess what? We are
21 also going to bring Dr. Weisenburger who was an author on
22 *De Roos* 2003 to talk about that study as well.

23 Then we go down to *Eriksson*, which is the next study.
24 *Eriksson* also did a dose response calculation. *Eriksson* looked
25 at ten lifetime days versus less than ten lifetime days, and

OPENING STATEMENT / WAGSTAFF

1 Dr. Ritz is going to tell you that the *Eriksson* study also
2 found a dose response. She is going to tell you that the
3 *Eriksson* study found that the more you are exposed to
4 glyphosate, Roundup -- I'm sorry, Roundup, the epidemiology
5 studies are Roundup exposure -- so the more you are exposed to
6 Roundup, your risk increases. That's what the *Eriksson* study
7 found.

8 Then she is going to walk you through the rest of them.
9 The *Orsi* case was a case -- and she will explain to you why
10 that study found the results they did -- they used patients in
11 hospitals. So their controls were already people who were
12 sick. She will explain to you why that is important. She will
13 explain to you the significance of the effect on the study.

14 The next one is the *North American Pooled Project*, which
15 Dr. Weisenburger is also an author on. Dr. Weisenburger will
16 talk to you about that study as well. You can see in the
17 parentheses that the *North American Pooled Project*, if you go
18 to the second row, it actually just pooled two of the earlier
19 studies, *McDuffie* and *De Roos*. So what that study did was it
20 combined those two findings. She will explain to you what that
21 means.

22 Then finally the last two studies are a part of what is
23 called *The Agricultural Health Study*. We are going to spend a
24 lot of time with Dr. Ritz talking about *The Agricultural Health*
25 *Study*. What you need to know is that *The Agricultural Health*

OPENING STATEMENT / WAGSTAFF

1 *Study*, what Dr. Ritz will tell you, began in the 1970s, 1980s,
2 and it really started getting going in the 1990s. And she is
3 going to talk to you about that, a study -- it studied I think
4 50 pesticides. And they enrolled people in 1993. And she was
5 actually an external adviser for the -- what we call the AHS,
6 she was actually an external adviser for the AHS.

7 Over the years different people have published literature
8 from collecting data from that study. So you have this study
9 going on, and Dr. Ritz is going to tell you over the time
10 people have pulled out literature. What you see here is
11 *De Roos* in 2005 -- the same *De Roos* we were talking about
12 before -- actually wrote a study and published a study about
13 the data from the AHS. And then actually last year Andreotti
14 in 2018 published some more data about the AHS.

15 That is sort of the scope of the epidemiology that you-all
16 are going to learn about.

17 We talked a little bit about dose response, and Dr. Ritz
18 will talk a little bit about this; that the dose makes poison;
19 that the dose matters. Dr. Ritz is going to tell you how much
20 exposure you have makes a difference, and she is going to tell
21 you why.

22 You are going to become familiar with the forest plots --
23 sorry -- plot summaries. So all of those studies that I just
24 talked to you about can be categorized into a dose response
25 study or a never-ever study, and Dr. Ritz will tell you what

OPENING STATEMENT / WAGSTAFF

1 the differences are.

2 I will tell you very briefly what she will say. She will
3 tell you that the dose response studies will do what we just
4 talked about. They will consider how much exposure you have.
5 The never-ever studies will say "Have you ever been exposed to
6 Roundup?" The answer is yes or no. If the answer is yes, you
7 are analyzed in this category, without any regard to whether or
8 not you have been exposed one day or a thousand days. If you
9 have been exposed, you are in the yes. You are in the ever
10 category. If you haven't, you are in the never category.

11 And Dr. Ritz will tell you the pros and cons of both. I
12 will be fair, there are pros and cons to both analyses, and she
13 will tell them to you, and she will explain to you the effect
14 that those analyses will have on the study results.

15 So what this is is this is a plot summary -- see that blue
16 line right in the middle, that is one. That represents the
17 number one. And what Dr. Ritz will show you is that everything
18 on the right, all of the black squares on the right show a
19 positive association between exposure to Roundup and
20 non-Hodgkin's lymphoma.

21 One thing we haven't talked about yet, which Dr. Ritz will
22 talk to you about, is meta-analysis, yet another type of
23 epidemiology. And what meta-analysis does, as Dr. Ritz will
24 tell you, is it takes different studies and it combines them
25 into one. So it is an effort to make a study more powerful and

SIDEBAR

1 combine them into one, and Dr. Ritz will talk to you about
2 those.

3 So here are some of the ones that we talked about earlier.
4 Those ones with the red squares around them are the ones that
5 actually have statistical significance.

6 We have talked about the *McDuffie* dose response and how
7 they have looked at over two days, and they found there was a
8 212 percent increased risk if you were exposed to Roundup over
9 two days a year. This was in 2001.

10 In 2008 *Eriksson* came out. They found that if you are
11 exposed to Roundup more than ten days, you have a 202 percent
12 chance -- I'm sorry -- you have a 236 percent chance if you are
13 exposed to more than ten days in your life. 236 percent
14 chance.

15 **THE COURT:** Can we have another sidebar, please?

16 (The following proceedings were heard at the sidebar:)

17 [REDACTED]

18 [REDACTED]

19 [REDACTED]

20 [REDACTED]

21 [REDACTED]

22 [REDACTED]

23 [REDACTED]

24 [REDACTED]

25 [REDACTED]

SIDEBAR

1	<div></div> <div></div>
2	<div></div>
3	<div></div> <div></div>
4	<div></div>
5	<div></div>
6	<div></div> <div></div>
7	<div></div>
8	<div></div>
9	<div></div>
10	<div></div> <div></div> <div></div>
11	<div></div> <div></div>
12	<div></div> <div></div>
13	<div></div>
14	<div></div> <div></div> <div></div> <div></div>
15	<div></div>
16	<div></div>
17	<div></div> <div></div> <div></div>
18	<div></div> <div></div>
19	<div></div> <div></div>
20	<div></div>
21	<div></div>
22	<div></div> <div></div>
23	<div></div> <div></div>
24	<div></div>
25	<div></div>

OPENING STATEMENT / WAGSTAFF

1 [REDACTED]
2 [REDACTED]
3 [REDACTED]
4 [REDACTED]
5 [REDACTED]
6 [REDACTED]
7 [REDACTED]
8 [REDACTED]
9 [REDACTED]
10 [REDACTED]
11 [REDACTED]

12 (The following proceedings were heard in open court:)

13 **THE COURT:** I was going to remind the jury, there is
14 an instruction I will give you a number of times during this
15 trial. I actually already gave it to you on Friday -- or on
16 Wednesday, which is the instruction about what is evidence and
17 what is not evidence. Lawyer argument is not evidence. What
18 the lawyers say during opening statements and closing arguments
19 and when they are asking questions of witnesses, that is not
20 evidence. The purpose of opening statements is solely to give
21 a preview of what the evidence will show and the purpose of
22 closing arguments is to argue about what the evidence shows.

23 And you should keep in mind the very limited role of the
24 lawyers in this process as we go forward and to remember that
25 what a lawyer says during opening statements does not

OPENING STATEMENT / WAGSTAFF

1 constitute evidence in the case.

2 **MS. WAGSTAFF:** All right. Sorry for that
3 interruption.

4 We had talked a little bit about *The Agricultural Health*
5 *Study*, and Dr. Ritz will probably touch on this tomorrow at
6 some point. What Dr. Ritz is going to tell you is that this
7 was a cohort study, which means that they gathered a lot of
8 people -- I believe the number was around 50,000, 56,000 people
9 that they gathered -- and she will tell you that these people
10 were in North Carolina and Iowa, and that the study found no
11 association for general non-Hodgkin's lymphoma.

12 And as we just discussed, she is going to tell you that
13 there is two relevant papers that have come out from *The*
14 *Agricultural Health Study* -- De Roos, 2005, which is not to be
15 confused with De Roos 2003; it is kind of confusing because it
16 is the same person -- and Andreotti 2018.

17 And Dr. Ritz is going to talk to you about this study.
18 She is going to tell you that this study, while good
19 intentioned, has some general flaws to the entire study and
20 then she is going to tell you some specific flaws that are
21 specifically related to glyphosate.

22 She is going to tell you that this study looked at 50
23 chemicals and that they put quantity over quality. She is
24 going to tell you that it is almost as if they were trying to
25 do too much.

OPENING STATEMENT / WAGSTAFF

1 She is going to explain that in the middle of their
2 enrollment process, which was 1993 to 1997, she is going to
3 explain that there was a spike in the use of Roundup. She is
4 going to show you evidence that shows that. You will see for
5 yourself. And she is going to show you that the way that they
6 classified people in the beginning of the enrollment in 1993
7 and 1994 became an improper classification because of the
8 glyphosate spike. She is going to explain all of this to you.
9 And that when they went back to try to call the people,
10 37 percent of the people disappeared. She is going to explain
11 all of this to you.

12 She is going to explain that the 37 percent of people who
13 disappeared, they used a technique called imputation, which
14 means they used guesses and they looked at the people who did
15 respond; and they imputed data to the people who didn't
16 respond. And she will testify that that is actually not a bad
17 method. She will testify that imputation actually sometimes is
18 okay, but what she is also going to tell you is that it is not
19 okay when you have this many people, 37 percent of 50,000; and
20 it is also not okay when it is layered on top of an exposure
21 misclassification due to the glyphosate spike.

22 So we are going to talk about these results this afternoon
23 or tomorrow morning with Dr. Ritz. And the last thing she will
24 tell you -- maybe not the last thing she will tell you -- but
25 at some point she will tell you that the test results within

OPENING STATEMENT / WAGSTAFF

1 the AHS studies actually suggest that Roundup protects people
2 from cancer. And that if taken on their face, she will tell
3 you the significance, to her what that means.

4 A couple of weeks ago -- today is the 25th, so 20 days
5 ago -- a new article came out. This is one of those
6 meta-analyses that I was telling you about. And this
7 meta-analysis is sort of a unique thing, and Dr. Ritz will
8 explain it to you far better than I will, but this was a
9 meta-analysis that looked at the high dose glyphosate users.
10 So it is kind of, she will tell you, the first time someone has
11 taken all of those high-use people and put them in the same
12 study. Dr. Ritz will talk to you about this study.

13 This was three weeks old and what these people found --
14 and they looked, as you will see in accordance with evidence
15 from the experimental animal and mechanistic studies -- and
16 mechanistic studies is what I'm calling cell data studies. So
17 mechanistic and cell data are the same concept. So in
18 accordance with the experimental animal and mechanistic study,
19 our current meta-analysis of human epidemiological studies
20 suggests a compelling link between exposure to glyphosate-based
21 herbicides and an increased risk for NHL. And she will tell
22 you, and I think it is pretty undisputed, that Roundup is a
23 glyphosate-based herbicide.

24 So that's our second piece of the puzzle is the
25 epidemiology. Epidemiology, sometimes I get tied up in my

OPENING STATEMENT / WAGSTAFF

1 tongue, so I call it epi. Epi is sort of the slang term. Epi
2 right here is the epidemiology. It is another piece of the
3 puzzle that we have to look at.

4 And so let's consider what happens before we even get to
5 the human studies -- before we even look at what happens in
6 human populations, let's look at the animal studies.

7 We have just walked you through the epidemiology and now
8 we are going to look at toxicology, rodent studies. In rodent
9 studies they usually test with mice and with rats, and there
10 are particular strains of both mice and rats that are used and
11 have been determined to be best to be used for animal testing.
12 And we are going to have Dr. Portier talk to you about the
13 animal testing. And what Dr. Portier will tell you is that we
14 used this information to determine if it is biologically
15 plausible to cause tumor in mammals, in these rats and mice.

16 So we are using these studies, and we are -- we are
17 putting glyphosate in these animals to see if it causes tumors
18 and is it possible; and he will testify to you the significance
19 of finding tumors in animals and how that applies to humans.
20 He will explain that to you.

21 So I'm going to walk you through how the basic animal
22 study works. So usually you have groups of mice -- and it is
23 the same for mice and rats. There is no real distinction. So
24 we will say there are usually 50 -- there are 50 male mice and
25 50 female mice, and they are put into four categories. So you

OPENING STATEMENT / WAGSTAFF

1 usually have 400 mice in a study. And you give -- on the left
2 you have your control groups. All right. Just to be clear,
3 all of these toxicology -- toxicology means animal studies.
4 They are sort of used interchangeably. So all of these animal
5 studies involve glyphosate, the active ingredient except for
6 one, the George study. We will talk about that one separately.

7 So you have the control group, and so you have the control
8 group who is fed no glyphosate. The other three groups are fed
9 glyphosate. Dr. Portier is going to tell you that the high
10 dose is given the maximum -- maximum-tolerated dose, the MTD,
11 and he is going to tell you how important it is to give these
12 animals the maximum-tolerated dose. And he is going to tell
13 you there is a specific reason, and he is going to give you
14 that reason when he testifies.

15 And he is going to tell you how you determine the
16 maximum-tolerated dose, and he is going to tell you the effect
17 on the study if the highest group does not reach MTD. He is
18 going to tell you-all of that. It is a high dose.

19 And then the low dose and the median dose are given a
20 percentage of the maximum-tolerated dose. So on the left you
21 have no glyphosate. On the right you have got the
22 maximum-tolerated dose. And then you have got fractions of
23 that.

24 So the mice are looked and checked for tumors at six weeks
25 old, and Dr. Portier is going to tell you that the lifespan of

OPENING STATEMENT / WAGSTAFF

1 the rat and a mouse is two years equivalent to our life. So
2 when you have a two-year-old mouse or rat, he is going to
3 equate that to someone in their 60s, 70 years old. That is why
4 they use rats and mice.

5 Dr. Portier is going to tell you that a two-year rat or
6 mouse study is considered a long-term study. So at the end of
7 two years, they check for tumors in these animals, and they
8 circle -- this is just sort of a demonstrative, but they will
9 count the tumors; right? So here it looks like there are four
10 on the high dose; three in the medium dose; two in the low
11 dose, and one in the control. They will count the tumor in all
12 of those groups. And then they will chart them, and they will
13 see if there is a dose response. They will see if -- if the
14 people who get more glyphosate, there are more tumors, and they
15 will draw conclusions from that; and Dr. Portier will tell you
16 what conclusions are drawn from that.

17 Dr. Portier will tell you that the important thing about
18 animal studies to look for is if there is a significant
19 increase in tumors. He will tell you if there is a lot more
20 tumors in the high dose, in the controlled dose, or the low
21 dose, that is important. And he will tell you why that is
22 important, and he will tell you what that means.

23 He will also tell you that replication is important. That
24 if the same tumor is found in different studies conducted in
25 different laboratories between two strains of mice, between

OPENING STATEMENT / WAGSTAFF

1 different sexes, male and female, or between a mouse and a rat,
2 that if you see the same tumor popping up, that's really
3 important. He will explain that to you.

4 Dose response, I just showed you a graphic on that.
5 Dr. Portier will explain that if that arrow shows a dose
6 response, that is important and he will explain the
7 significance of that.

8 I mentioned across species. If you see a rare tumor in a
9 mouse and in a rat, that's important. Dr. Portier will tell
10 you that finding rare tumors at all is important.

11 So let's look at actually the studies involved in this
12 case. The studies involved in this case -- let me orient you a
13 little bit about this chart, and Dr. Portier will do the
14 same -- but if you look across the top row, there is five
15 columns, okay. And each column -- the first one says *Knezevich*
16 & *Hogan* 1983. The second one says *Atkinson* 1993, following
17 across to the right. Those are animal studies. Those are
18 separate animal studies. And if you follow the column down, it
19 will tell you the tumors that those authors found in the
20 studies, and Dr. Portier will explain to you the significance
21 of that.

22 So, for example, in *Knezevich & Hogan*, which was done in
23 1983, Dr. Portier will tell you that the authors found a kidney
24 carcinoma or adenoma and a spleen composite lymphosarcoma. He
25 will explain to you what those are and what that means, and he

OPENING STATEMENT / WAGSTAFF

1 will explain to you why it is important and why it is
2 significant to him in his opinion that kidney sarcomas or
3 adenomas are found in three different studies.

4 He will explain to you that the first four studies are CD1
5 mice. And the last study, the *Kumar* study is a Swiss albino
6 mouse. Different strains. And he will explain to you why that
7 is important. He will tell you that Monsanto conducted the
8 first study, and other companies conducted the last four
9 studies; and he will explain to you why that is important in
10 his opinion.

11 He will explain to you the importance that a lymphoma is
12 found in every mouse study. He will explain to you that a
13 spleen -- I can't believe I have to say this word twice to you
14 guys -- composite lymphosarcoma is actually a lymphoma, and he
15 will explain to you that means there is a lymphoma finding in
16 every mouse study.

17 I want to tell you a little story about the *Knezevich &*
18 *Hogan*. *Knezevich & Hogan* story in 1983. *Knezevich & Hogan* in
19 1983 found a kidney carcinoma or adenoma.

20 A couple of weeks ago -- actually on January 23rd, so
21 almost one month ago, we deposed Monsanto. They produced
22 Dr. Reeves to talk about this study.

23 **THE COURT:** Hold on.

24 **MR. STEKLOFF:** May we approach?

25 **THE COURT:** Okay.

© 2006 The Authors

_____, _____

OPENING STATEMENT / WAGSTAFF

1 [REDACTED] [REDACTED] [REDACTED] [REDACTED]
2 [REDACTED]
3 [REDACTED]
4 [REDACTED] [REDACTED]
5 [REDACTED]
6 [REDACTED] [REDACTED] [REDACTED]
7 [REDACTED] [REDACTED]
8 [REDACTED] [REDACTED]
9 [REDACTED]
10 [REDACTED] [REDACTED]
11 [REDACTED] [REDACTED]
12 [REDACTED]
13 [REDACTED] [REDACTED]
14 [REDACTED]
15 [REDACTED] [REDACTED]

16 (The following proceedings were heard in open court:)

17 **MS. WAGSTAFF:** All right. Back to where we were.

18 So I want to talk to you about the *Knezevich & Hogan*
19 studies and findings that were made in 1993. I have circled
20 this particular tumor because I want to talk and tell you a
21 story about this particular tumor.

22 In 1985 Monsanto submitted the *Knezevich & Hogan* study to
23 the EPA as support to get glyphosate approved, and the study
24 showed that there was a 0-0-1-3 tumor finding. What that means
25 is zero in the control group, zero in the low group. They

OPENING STATEMENT / WAGSTAFF

1 found one tumor in the median group and three tumors in the
2 high group. That's how -- that's what those numbers mean,
3 0-0-1-3.

4 And around that time you will hear testimony, and you will
5 see documents that show, that the EPA made a unanimous decision
6 in 1985 to classify glyphosate as a Category 3 oncogene. You
7 will hear testimony and you will see documents that Monsanto
8 thought this was a bad thing for glyphosate.

9 And Monsanto, you will see testimony where they say, short
10 of a new study or finding tumors in control groups, what can we
11 do to get this thing off Category 3.

12 **MR. STEKLOFF:** Objection, under --

13 **THE COURT:** Overruled.

14 **MS. WAGSTAFF:** Monsanto said short of a new study or
15 finding tumors in the control group, what can we do to get this
16 thing off Category C; this thing called glyphosate.

17 The EPA responds to them: A prudent person would reject
18 the Monsanto assumption that glyphosate dosing has no effect on
19 kidney tumor production.

20 Another way of saying this is that if glyphosate were
21 truly unrelated to kidney production, we would expect to see
22 four or more tumors in less than one out of a hundred
23 experiments of the type sponsored by Monsanto. Thus,
24 glyphosate is suspect.

25 EPA further said, We disagree with the registrants

OPENING STATEMENT / WAGSTAFF

1 position -- the registrant being Monsanto. The EPA says: We
2 disagree with Monsanto's position. The registrant wishes to
3 avoid false positives while those concerned with the public
4 health wish to avoid false negatives. Hence, for this reason
5 alone, Monsanto's argument is unacceptable. Viewpoint is a key
6 issue. Our viewpoint is one of protecting the public health
7 when we see suspicious data. It is not our job to protect
8 registrants from false positives. We sympathize with the
9 registrant's problem, but they will have to demonstrate that
10 this positive result is false.

11 So the EPA tells Monsanto they will have to demonstrate
12 that the 0-0-1-3 is false. It is actually not related to the
13 glyphosate. So you will hear testimony from Mr. Reeves --
14 Dr. Reeves -- I'm sorry. You will hear testimony to prove it
15 wasn't false -- or to prove it was false they hired
16 Dr. Kushner.

17 You will see that Monsanto says, Senior management at EPA
18 is reviewing a proposal to classify glyphosate as a Class 3
19 possible human carcinogen because of kidney adenomas in male
20 mice. Remember, I circled that, kidney adenoma.

21 Dr. Marvin Kushner will review the kidney sections and
22 present his evaluation of them to EPA in an effort to persuade
23 the agency that the observed tumors are not related to
24 glyphosate.

25 So you will see Monsanto hired Dr. Kushner to persuade

OPENING STATEMENT / WAGSTAFF

1 the agency that the tumors were not related to glyphosate on
2 April 3rd, 1985. You will see documents saying that. The
3 problem is you will also see documents that Dr. Kuschner didn't
4 receive the slides until 11 days later, April 14th.

5 The story goes on and Dr. Reeves will tell you that
6 Dr. Kuschner reviewed the slides and actually found a tumor in
7 the control group. And you will hear testimony that Monsanto
8 submitted Dr. Kuschner's study, the EPA, and the EPA declined
9 the results. The EPA said that is not good enough.

10 You will hear testimony that the EPA then created a group
11 of pathologists and they re-cut the slides so they actually
12 went back to the animal, and you will hear testimony that they
13 re-cut the slides. And the independent EPA people didn't find
14 a tumor in the control group when they re-cut the slides.

15 So you will hear testimony that the EPA in 1985 then goes
16 back to Monsanto and says, Redo the study. Redo the study.

17 Monsanto refuses to redo the study, and you will hear
18 testimony about their language and how opposed they are to the
19 study. You can decide for yourself why you think Monsanto
20 didn't redo the study, but the important thing when you are
21 thinking about why Monsanto didn't redo the study is
22 Dr. Portier will show you -- remember I told you *Knezevich &*
23 *Hogan* was the only study done by Monsanto -- the four tumor
24 studies, the four studies -- mice studies that followed, the
25 only four that have happened since then, found a lymphoma. So

OPENING STATEMENT / WAGSTAFF

1 you can decide when you hear the evidence why Monsanto didn't
2 redo that study.

3 You will hear testimony by Mr. Hardeman that right around
4 this time he began spraying Roundup.

5 Next we are going to talk about the *George* study. The
6 *George* is slightly different. It is still a mouse study, but
7 it has a little twist; and the twist is this -- and you will
8 hear testimony from Dr. Portier that the *George* study is
9 different for two main reasons.

10 The first reason is that it used Roundup, not glyphosate.
11 So remember I told you one study used Roundup. Dr. Portier
12 will tell you that the *George* study done in 2010 used Roundup.
13 The other studies we have been talking about, you will hear
14 testimony that they fed the glyphosate to those animals.

15 In the *George* study they actually shaved the mice and they
16 rubbed Roundup on their body, and you will hear Dr. Portier
17 tell you why that is important and the significance of that --
18 of rubbing the Roundup on someone's body.

19 You will hear Dr. Portier tell you that 40 percent of the
20 mice that had Roundup rubbed on their skin got tumors. Zero in
21 the control group got tumors. You will hear Dr. Portier tell
22 you that. The study was -- did an additional step that the
23 other mice studies didn't do, and Dr. Portier will explain it
24 to you.

25 Dr. Portier will explain to you the concept of being an

OPENING STATEMENT / WAGSTAFF

1 initiator or a promoter. And so this study looked at whether
2 Roundup was a promoter. And Dr. Portier will tell you what
3 that means. What he will tell you is that some chemicals can
4 initiate the cancer process, and some chemicals can promote the
5 cancer process that is already going on. And so Dr. Portier
6 will explain to you how both of those relate to the *George*
7 study, and Dr. Portier will tell you that the *George* study is
8 evidence that Roundup -- I have glyphosate on here, but it is
9 actually Roundup -- is both an initiator and a promoter. And
10 Dr. Portier will explain to you why that is important.

11 Next, we have the rat studies. So there is a little bit
12 more robust group of rat studies, and it is organized the same
13 way. *Lankas* is the first study and then *Stout and Ruecker* in
14 1990. What is important here is that Monsanto conducted -- you
15 will hear testimony from Dr. Portier. Monsanto conducted the
16 first two studies, the *Lankas* study and the *Stout and Ruecker*
17 study, and other people conducted the other studies. The first
18 studies are Sprague Dawley rats. The last three are Wistar
19 rats. The only thing that is important about that is two
20 strains of rats were used, and Dr. Portier will explain the
21 significance of that.

22 I should go back. Dr. Portier will tell you that this
23 *Suresh* study from 1996; that there was a 40-some percentage of
24 tumors found in the control group when the -- historically
25 those control groups of Wistar rats usually had around a

OPENING STATEMENT / WAGSTAFF

1 3 percent. So Dr. Portier will explain to you that -- that the
2 control group in that study was possibly contaminated and so
3 the results of that study can't really be useful. Dr. Portier
4 will tell you that, but he wanted to put that in.

5 And Dr. Portier will use these slides, these tumor charts.
6 So Dr. Portier will tell you that here is this kidney carcinoma
7 or adenoma that we saw in the mice study. He will tell you
8 that is actually a really rare tumor. He will tell you that
9 this is really important and significant when you see it in
10 rats and you see it in mice.

11 We have replication across strains here. The first ones
12 to the left are Sprague Dawley, and then the wood one is a
13 Wistar rat.

14 So Dr. Portier will tell you that we have checked off all
15 of the animal study boxes, and that's the animal studies.
16 Dr. Portier will tell you that there is significant evidence to
17 conclude that exposure to glyphosate causes tumors in animals,
18 and there is significant data to conclude that Roundup on your
19 skin is a cancer promoter. So that's the animal piece of the
20 puzzle.

21 We have one more piece of the puzzle we need to look at,
22 and that is the cellular data. The cellular data really gets
23 at the heart of how does this happen, how is it possible that
24 this actually causes damage. That is what the cellular data
25 looks at. And Dr. Portier is actually going to be the expert

OPENING STATEMENT / WAGSTAFF

1 who talks to you about that as well. And Dr. Portier is going
2 to tell you that there are ten, I think -- maybe 11 --
3 different possible ways that something can cause damage in a
4 cell, and he is going to tell you that two of those ways keep
5 showing up in the literature.

6 Dr. Portier is going to tell you that with exposure to
7 both Roundup and glyphosate evidence of genotoxicity and
8 oxidative stress keep showing up.

9 So we talked earlier that the epidemiology is Roundup
10 exposure; right? We talked earlier that the animal studies is
11 pretty much glyphosate exposure, except for the George study,
12 which is Roundup. And here in the cellular data you are going
13 to learn that there actually is both. We have cellular data
14 that relates to glyphosate, and we have cellular data that
15 relates to Roundup.

16 Dr. Portier will tell you that the field -- the body of
17 the cellular data is huge, and he will tell you that it
18 includes data related to humans. He will tell you that it
19 relates to data related to mammals, like monkeys; and he will
20 tell you that it relates -- there is data as it relates to
21 non-mammals, living things, bacteria, fish. And each of those
22 three categories, there is cellular data with the effect of
23 glyphosate and/or Roundup and its effect on cells both in
24 vitro, which means in sort of a petri dish, and in vivo. So
25 there is a whole bunch of different combinations available

OPENING STATEMENT / WAGSTAFF

1 Dr. Portier will tell you from the cellular data.

2 And Dr. Portier, in a way far better than me, will walk
3 you through how a normal cell turns to cancer, and he will tell
4 you that the important thing is that somewhere along the way,
5 DNA damage or cell damage happens. And he will show you that a
6 chemical exposure -- there are several different ways along
7 that pathway that the damage can happen.

8 Dr. Portier will explain to you where the genotoxicity can
9 happen, where the oxidative stress can happen. This is the --
10 this is what I just explained to you, that there are -- there
11 is a robust body of cellular data study. And if you look at
12 this DNA that we all learned about when we were young kids,
13 there is different ways that the DNA can be damaged.

14 Dr. Portier will tell you about a single strand break. He
15 will tell you that the DNA can get mismatched; that the base
16 can be damaged. He will tell you that you can have a double
17 strand break. He will talk about intrastrand cross-links and
18 interstrand cross-links. Dr. Portier will walk you through all
19 the ways in which exposure to Roundup or exposure to glyphosate
20 has been studied, and he will give you his opinion on whether
21 or not it is genotoxic.

22 Now, Dr. Portier will walk pretty quickly -- he
23 actually -- his testimony is sort of weird. His testimony was
24 actually taken last week in Australia, so I actually know what
25 he is going to say. He is going to walk through this chart

OPENING STATEMENT / WAGSTAFF

1 and, he is going to put pluses or minuses where he thinks there
2 has been genotoxicity found, where he thinks in these studies
3 in his opinion he is going to explain to you where those
4 studies show that exposure to Roundup and/or glyphosate has a
5 genotoxic effect.

6 And then he is going to talk about the recent studies.
7 These are the studies that have happened in the last two years.
8 Dr. Portier will walk you through all of those.

9 And because it is so robust, we have asked Dr. Portier to
10 focus on the human data. Remember I mentioned there are all
11 these bacterial and non-human mammal data and all of that? He
12 has pretty much focused his opinion on the human data.

13 So this slide is the oxidative stress data. He is going
14 to walk you through all of that. What I have done is I have
15 summarized it, and you will see pluses where Dr. Portier will
16 tell you that there is a positive association.

17 So I have walked you through all of the three pillars of
18 cancer science. And your question, remember, we told you, was,
19 is: Does exposure to Roundup cause cancer. I have walked you
20 through what you are going to hear about the epidemiology, and
21 I have walked you through what you are going to hear about the
22 animal studies; and I have walked you through what you are
23 going to hear about the cellular studies, and you are going to
24 remember that Roundup and glyphosate are not the same things.
25 And that is the final piece of your puzzle to decide whether

OPENING STATEMENT / WAGSTAFF

1 exposure to Roundup causes cancer.

2 There is one other thing I want to tell you about before
3 we get to whether or not exposure to Roundup caused
4 Mr. Hardeman's cancer. There is this entity called the
5 International Agency Research on Cancer, which we lovingly
6 refer to as IARC. IARC is a -- an arm of the World Health
7 Organization.

8 And you are going to hear that Dr. Portier actually has
9 experience with IARC. Dr. Ritz has experience with IARC. And
10 what you are going to hear is you are going to hear that in
11 2014 and into the beginning of 2015 IARC reviewed glyphosate.
12 What IARC did was they brought 17 people from around the whole
13 world, not just Americans, people from all over the world, and
14 they convened in Leon, France. And prior to showing up, you
15 will hear testimony that they spent about six months or so
16 reviewing the literature, and these aren't people who -- let me
17 move back.

18 These are people who are invited there because they are
19 experts in their field. So you have them reviewing the
20 literature, leading experts on cancer, and they went to Leon,
21 France in March of 2015, so almost four years ago. People from
22 the EPA were there. There was someone there from the
23 California EPA. Monsanto actually sent an observer. You will
24 hear evidence that actually Monsanto participated a little bit
25 in the process.

OPENING STATEMENT / WAGSTAFF

1 They had a week-long meeting in France. And they weren't
2 just looking at glyphosate; they were looking at a couple other
3 chemicals as well, and they categorized the evidence in similar
4 buckets than we did. They didn't have all the data that our
5 experts have here. They had a limitation of using
6 peer-reviewed literature that our experts don't have, but they
7 considered the evidence as well.

8 They actually had a fourth group called exposure, but I
9 don't think -- anyway, so epidemiology, IARC determined was
10 limited. And IARC is an international entity that doesn't
11 sometimes use the same language that you or I would use when we
12 are talking to people or that you or I would sort of give
13 significance to. So I wanted to read to you what IARC's
14 definition of "limited" is.

15 According to IARC, limited evidence means that a positive
16 association --

17 **THE COURT:** Ms. Wagstaff, you are getting into more
18 detail on what the IARC investigated than you are going to be
19 allowed to present at Phase One, so I will ask you to move on.

20 **MS. WAGSTAFF:** Okay. Thank you, Your Honor.

21 So what the IARC concluded was they unanimously decided to
22 list glyphosate as a Class 2 carcinogen, which means that they
23 unanimously decided after looking at the literature that it was
24 a probable human carcinogen.

25 So one month ago, we deposed Dr. Reeves who was a Monsanto

PROCEEDINGS

1 representative, and Monsanto told us that there is no evidence
2 that glyphosate or glyphosate-based formulations caused cancer.
3 That is what Monsanto told us a month ago, and that's why we
4 are here today.

5 So I want to talk to you a little bit about the EPA, just
6 touch on it briefly. The EPA does not look at Roundup. You're
7 going to hear testimony that the EPA only looks at glyphosate.

8 You're going to hear testimony that the EPA actually
9 doesn't test anything --

10 **MR. STEKLOFF:** Objection, Your Honor.

11 **THE COURT:** Sustained. Why don't you move on from the
12 EPA.

13 **MS. WAGSTAFF:** All right.

14 So can Roundup cause cancer? So let's look at whether or
15 not Mr. Hardeman's exposure to Roundup caused his cancer.

16 You're going to hear from three of Mr. Hardeman's --

17 **THE COURT:** I wonder if -- since you're changing
18 topics, I wonder if this is a good time to take a brief morning
19 break. It's five minutes to 10:00. Why don't we resume at
20 five minutes after 10:00. We'll take a morning break.

21 (Proceedings were heard out of the presence of the jury:)

22 **THE COURT:** Okay. Ms. Wagstaff, you have crossed the
23 line so many times in your opening statement, it's obvious that
24 it's deliberate. The last time -- the most recent time was
25 when you were talking about the EPA and you were referring to

PROCEEDINGS

1 the EPA being vulnerable to political pressure. Totally
2 inappropriate. Totally inconsistent with everything we've
3 discussed over the past several months.

4 So I'm going to give you one final warning. One final
5 warning. If you cross the line one more time in your opening
6 statement with respect to Phase I, if you bring in material
7 during your opening statement that is inadmissible during
8 Phase I, your opening statement will be over. I will tell you
9 to sit down and I will tell you that your opening statement is
10 over, and I will do it in front of the jury.

11 Do you understand?

12 **MS. WAGSTAFF:** Yes, Your Honor.

13 **THE COURT:** Okay. Last chance. Last warning.

14 (Recess taken at 10:00 a.m.)

15 (Proceedings resumed at 10:10 a.m.)

16 (Proceedings were heard out of the presence of the jury:)

17 **THE COURT:** Okay. Very briefly, I have just filed an
18 order. It's an Order to Show Cause why Ms. Wagstaff should not
19 be sanctioned for deliberately crossing the line during her
20 opening statement a number of times.

21 That deliberate crossing of the line is not only reflected
22 in what Ms. Wagstaff said but in the slides that she and her
23 team prepared for the opening statement.

24 So Ms. Wagstaff will be required to respond in writing by
25 8:00 p.m. tonight why she should not be sanctioned for crossing

PROCEEDINGS

1 the line and will have a further opportunity to be heard on it
2 after that.

3 For now, I guess my question is: Should I ban the
4 plaintiffs from using their slides for the remainder of the
5 opening statement given what we've seen so far? I've already
6 warned Ms. Wagstaff that if she crosses the line one more time,
7 she will be required to sit down and her opening statement will
8 be over.

9 The question is: Should I save Ms. Wagstaff from herself
10 by barring her from the further use of slides during her
11 opening statement, which I suspect contain a number of
12 inappropriate things? Thoughts?

13 **MR. STEKLOFF:** Yes, Your Honor, I have two thoughts.
14 First, we would ask that you preclude Ms. Wagstaff from using
15 slides in the rest of her presentation given what we've seen.

16 I would also ask for a curative instruction specifically
17 on the issue of the Knezevich study. And what I would like to
18 raise there is two issues.

19 First, Your Honor required us to submit the exhibits that
20 we would be referencing in opening and both parties e-mailed
21 chambers with our exhibits. None of the exhibits about that
22 study were contained in plaintiff's e-mail to the Court. So we
23 had no notice and Your Honor had no notice, and I think the
24 exact purpose of that was so that any issues could be raised
25 ahead of time rather than in the middle of opening.

PROCEEDINGS

1 Second --

2 **THE COURT:** I understand your request. I'm not going
3 to give an instruction specifically about that, but I will give
4 a more specific curative instruction that a number of
5 statements that Ms. Wagstaff has made will not be coming into
6 evidence and the Court should -- and the jury should disregard
7 it.

8 **MR. STEKLOFF:** Thank you, Your Honor.

9 **MS. WAGSTAFF:** And, Your Honor, if I may, because I
10 seem to have got you quite upset.

11 I have listened to Dr. Portier's testimony.

12 **THE COURT:** It's not about being upset. It's about
13 running an orderly trial.

14 **MS. WAGSTAFF:** I --

15 **THE COURT:** And, as I said, you've completely
16 disregarded the limitations that were set upon you.

17 **MS. WAGSTAFF:** I understand that, and I would just
18 like an opportunity to say something if you would please
19 indulge me.

20 **THE COURT:** Only if it relates to how your opening
21 statement is going to go.

22 **MS. WAGSTAFF:** It does relate to my opening statement.

23 **THE COURT:** Okay. Go ahead.

24 **MS. WAGSTAFF:** Thank you.

25 Dr. Portier was asked questions that specifically said

OPENING STATEMENT / WAGSTAFF

1 "Obama's EPA." That's the way the questions were phrased.

2 **THE COURT:** Okay. Is this about your opening
3 statement going forward or what has already happened?

4 **MS. WAGSTAFF:** What has already happened.

5 **THE COURT:** Okay. We'll talk about what's already
6 happened later.

7 **MS. WAGSTAFF:** All right.

8 **THE COURT:** You'll have an opportunity to be heard
9 about that.

10 **MS. WAGSTAFF:** Okay. Thank you.

11 **THE COURT:** Okay.

12 **MS. WAGSTAFF:** I think I can use my slides --

13 **THE COURT:** Okay.

14 **MS. WAGSTAFF:** -- and listen to your advice.

15 **THE COURT:** It's your risk.

16 **MS. WAGSTAFF:** I understand.

17 **THE COURT:** You're the one bearing the risk.

18 **MS. WAGSTAFF:** I understand, Your Honor.

19 **THE COURT:** If I see a single inappropriate thing on
20 those slides, I'm shutting you down --

21 **MS. WAGSTAFF:** Okay. Thank you, Your Honor.

22 **THE COURT:** -- and your opening statement is done.
23 Okay. Bring in the jury.

24 (Proceedings were heard in the presence of the jury:)

25 **THE COURT:** Okay. Welcome back.

OPENING STATEMENT / WAGSTAFF

1 Ladies and gentlemen of the jury, let me remind you once
2 again of my instruction that statements by lawyers are not
3 evidence. Sometimes, as has occurred today, lawyers will make
4 statements about things that are not -- will not actually come
5 into evidence, and so it's important that you take with a grain
6 of salt what both lawyers on both sides tell you during opening
7 statement about what the evidence will show.

8 What matters is the evidence that actually comes in in the
9 courtroom, not what the lawyers tell you it will be.

10 So with that, Ms. Wagstaff, you can resume.

11 **MS. WAGSTAFF:** Thank you, Your Honor.

12 All right. Now we are to the point in my opening
13 statement where we talk about whether or not Mr. Hardeman's
14 Roundup exposure caused his cancer.

15 And so you will hear testimony from three of
16 Mr. Hardeman's treating physicians. These are three Kaiser
17 doctors who work up in the Santa Rosa area, and you will hear
18 testimony from them by videotape deposition that occurred last
19 year, and you will hear testimony related to his diagnosis of
20 non-Hodgkin's lymphoma.

21 Next you will hear from Mr. Hardeman himself about his
22 exposure to Roundup. He will walk you through his 26 years of
23 Roundup exposure, and he will tell you how often he sprayed,
24 how much he sprayed, what he wore when he sprayed, and he will
25 explain to you his exposure.

OPENING STATEMENT / WAGSTAFF

1 Now, what this is here, Mr. Hardeman will tell you that he
2 started spraying Roundup around 1986; and that he lived in a
3 town with Mary called Gualala, and they lived there for a few
4 years and that's where he began spraying Roundup. He'll
5 testify to that.

6 Around 1988, you'll hear from Mr. Hardeman that he and
7 Mary bought this property. And this is a plot map, and this is
8 a plot map in yellow of his property. And Mr. Hardeman will
9 testify to his spraying habits on this property. It's a
10 56-acre property where he lived from roughly 1988 to roughly
11 2012.

12 And you'll see these blue dots that will become more
13 apparent when Mr. Hardeman testifies and this yellow sort of
14 dash. And he'll explain where his house was on this property
15 and he'll explain where the hiking trails were.

16 And he'll explain where exactly on the property he
17 sprayed. And he'll explain to you that there was a serious
18 problem with poison oak on his property. He'll even tell you
19 that there were poison oak festivals in the Santa Rosa
20 community during the '80s and '90s because the poison oak was
21 so bad. And, in fact, he'll tell you that he had to go to the
22 doctor's office sometimes because his poison oak got so bad.

23 Now, he's not going to come in here and tell you that he
24 sprayed every inch of this 56-acre property, but he's going to
25 walk you through exactly where he sprayed and when he sprayed

OPENING STATEMENT / WAGSTAFF

1 it, and he's going to tell you that most of his spraying was
2 done on the hiking trails in and around his home so that he and
3 Mary could enjoy weekend hikes.

4 And he'll tell you that when he bought the property, he
5 got it for a real deal because the previous owner had not
6 maintained the land. And he'll tell you that he didn't hire a
7 crew to come and fix the land; that he did it himself, and it
8 was a real source of pride for him. He'll tell you that.

9 And he'll tell you that when you came on the property,
10 which is gated all the way around, that when you came on the
11 property, he'll explain to you that there was a driveway that
12 led up to his house. And he'll explain to you that he used to
13 walk -- he'll explain to you that the driveway had sort of a
14 cliff cutout almost, and that he used to walk with that
15 2-gallon sprayer I was telling you about before and spray the
16 side of the cliff and spray the side of the cliff, and he'll
17 tell you that.

18 And he'll tell you that sometimes around his house he used
19 to spray poison oak that was coming off of eaves of his house,
20 and he'll testify that sometimes he remembers feeling the
21 Roundup on his face.

22 And he'll testify that in 2015 on Valentine's Day he was
23 told that he had aggressive Stage 3 cancer.

24 And so a doctor is going to come in, an expert witness is
25 going to come in, and do what's known as a differential

OPENING STATEMENT / WAGSTAFF

1 diagnosis for Mr. Hardeman. And so what that doctor will do,
2 is that doctor will put all of the known risk factors for NHL
3 in the left-hand column. This includes age, sex, and race,
4 family history, pesticide use, obesity, viral infections,
5 bacterial infections, and so on and so forth.

6 And then that doctor will sit here on this witness stand
7 and he will walk you through every single factor, and he will
8 tell you why he doesn't think age caused Mr. Hardeman's
9 lymphoma. He will tell you why he doesn't think the fact that
10 he's a man caused it, the fact that he's white. He'll tell you
11 that there's no family history that would cause it -- cause him
12 to have non-Hodgkin's lymphoma.

13 And then there are certain factors that require a little
14 bit more attention. We'll get to those in a minute. Those are
15 Roundup. Roundup is a pesticide. Obesity. Hepatitis C and
16 hepatitis B, those are viral infections.

17 And then he'll continue going through this list, and then
18 he will tell you that he spent more time considering the
19 literature we've discussed today, considering Mr. Hardeman's
20 specific use of Roundup, how much he used it, how frequently he
21 used it, the duration of time he used it. This doctor
22 considered all of those things.

23 And he also did the same with obesity. This doctor will
24 tell you he considered Mr. Hardeman's weight. He considered
25 Mr. Hardeman's body mass index, and he considered whether that

OPENING STATEMENT / WAGSTAFF

1 caused Mr. Hardeman's non-Hodgkin's lymphoma.

2 And the remaining two are viral infections. This doctor
3 will tell you that Mr. Hardeman at one time had an antibody for
4 hepatitis B. This doctor will further tell you that there's
5 been no positive diagnosis of hepatitis B in Mr. Hardeman's
6 medical history, but he considered hepatitis B because he had
7 the positive antibody, which means at some point Mr. Hardeman
8 was probably exposed to the hepatitis B virus. And he will
9 tell you how he's able to rule out hepatitis B.

10 This doctor will also talk to you about hepatitis C, and
11 Mr. Hardeman will talk to you about his hepatitis C that he
12 had. Mr. Hardeman will tell you that he was probably exposed
13 to hepatitis C in the late '60s. Mr. Hardeman will tell you
14 that it was probably around 1966 that he was exposed to
15 hepatitis C.

16 What the medical records and the evidence will show you
17 and what Mr. Hardeman will tell you is that in 2005 he was
18 diagnosed with active hepatitis C. In 2006, you will hear
19 testimony and you will see the records that Mr. Hardeman was
20 cured of his hepatitis C.

21 And you will hear from Mr. Hardeman that from 2006 through
22 today, he's never had a positive finding of hepatitis C in his
23 blood test, and he's had plenty of blood tests since then.
24 Hepatitis C, they test it by something called a viral load, and
25 you'll hear Mr. Hardeman tell you he's never had an elevated

OPENING STATEMENT / WAGSTAFF

1 viral load since 2006.

2 So you'll hear our expert tell you that his hepatitis C
3 was cured. And, in fact, Mr. Hardeman believed it to be cured
4 as of 2006.

5 You'll hear our expert tell you that if any remaining
6 hepatitis C had lingered in his body undetected, you'll hear
7 that it would have reared its head during chemotherapy. You'll
8 hear testimony that the chemotherapy suppressed his immune
9 system so bad that any lingering viral infections or virus
10 would have shown up, and you'll see evidence and testimony that
11 it didn't. You'll see testimony that Mr. Hardeman went through
12 his chemotherapy in 2015, nine years after being cured of
13 hep C, and there was no hep C incident.

14 And you'll hear that those are the reasons why our expert
15 was able to conclude that Roundup was a substantial factor in
16 causing Mr. Hardeman's hepatitis C. And you'll hear that those
17 are the reasons that they were able to conclude that those
18 other three were not substantial factors.

19 So I just wanted to include a couple of pictures that you
20 will see related to his property. You can tell these are kind
21 of old photos.

22 And so at the end of the day or at the end of the Phase I,
23 not the end of the day, the end of Phase I, we've given you all
24 the pieces of the puzzle that we think you need to make your
25 decision.

OPENING STATEMENT / STEKLOFF

1 You guys have paid great attention to me. I can tell that
2 you've been paying a lot of attention for the last hour and a
3 half, and I really thank you for that. I thank you for your
4 attention and Mr. Hardeman thanks you for your attention.
5 Thank you.

6 **THE COURT:** Okay. Mr. Stekloff.

7 **MR. STEKLOFF:** Thank you, Your Honor.

8 Can I just have a moment to move a few things around?

9 **THE COURT:** Sure.

10 (Pause in proceedings.)

11 **MR. STEKLOFF:** May I proceed, Your Honor?

12 **THE COURT:** You may.

13 **OPENING STATEMENT**

14 **MR. STEKLOFF:** Good morning, everyone.

15 **ALL:** Good morning.

16 **MR. STEKLOFF:** You have heard that you are here to
17 answer this question: Did Roundup cause Mr. Hardeman's cancer,
18 his non-Hodgkin's lymphoma? That is the question that you are
19 being asked in this phase to answer.

20 And so for the next few weeks, Tamarra, Rakesh, and I,
21 we're going to give you the evidence that you need to answer
22 this question, the testimony that you need to hear, the
23 exhibits that you need to see. We're not going to waste your
24 time and we're not going to talk about other issues that don't
25 matter to this question because this is the question that is

OPENING STATEMENT / STEKLOFF

1 part of Phase I, which is what we're discussing right now.

2 So that's also what I'm going to talk to you about this
3 morning, what is the evidence that you are going to hear over
4 the next few weeks to answer this question. And the answer to
5 this question is no, Roundup did not cause Mr. Hardeman's
6 non-Hodgkin's lymphoma.

7 So where I want to start is actually there are some areas
8 in this case that are not in dispute, things that I don't think
9 you will hear us fighting about when you hear from the various
10 experts that both sides are going to bring you.

11 The first is that non-Hodgkin's lymphoma, that's NHL, is a
12 common cancer. That doesn't mean it's a common disease but
13 among cancer, it is a common cancer. You're going to hear that
14 over 70,000 people every single year just here in the
15 United States are diagnosed with non-Hodgkin's lymphoma.

16 And what you're also going to hear is that for those
17 70,000 people per year, the cause of their non-Hodgkin's
18 lymphoma when they go to their doctors and hospitals around the
19 country is unknown. Doctors don't tell them and cannot tell
20 them what caused their cancer.

21 You're going to hear different percentages, but I think
22 everyone will agree, whether it's 70 percent or over
23 90 percent, people out in society outside of this courtroom
24 when they are unfortunately diagnosed with non-Hodgkin's
25 lymphoma, they don't know what caused their cancer.

OPENING STATEMENT / STEKLOFF

1 And to be clear, the other percentage, the 10 to
2 30 percent, they're not told that Roundup or glyphosate is what
3 caused their cancer. They're told other things caused their
4 cancer, whether it's specific genetic issues that they had or
5 whether it's specific viral diseases. Like, there's something
6 called Epstein-Barr disease or hepatitis. They are told in
7 some circumstances about those types of things that caused
8 their cancer, but most people are not told at all and no one is
9 told Roundup.

10 The other thing is that of those over 70,000 people per
11 year who unfortunately are diagnosed with non-Hodgkin's
12 lymphoma, most of them have never used Roundup in their entire
13 life.

14 People unfortunately, like other cancers, are diagnosed
15 with this type of cancer, non-Hodgkin's lymphoma, every single
16 day and we don't know why. Doctors don't know why.
17 Oncologists, which are the doctors that treat cancer;
18 pathologists, which are the doctors that diagnose cancer when
19 they look at a tumor on a slide, they do not know what causes
20 cancer.

21 And the last thing that is not in dispute is that there is
22 no test that a doctor can run in a hospital to tell a patient
23 whether or not his or her cancer was caused by Roundup.
24 There's nothing that a doctor can do to look on a microscope
25 and look at the tumor or any other test -- MRI, CAT scan,

OPENING STATEMENT / STEKLOFF

1 anything you can think of -- there is no test to say "I'm
2 looking at this individual's cancer and I'm telling you this
3 cancer had something to do with Roundup." It doesn't exist,
4 and there is no dispute about that.

5 So what is non-Hodgkin's lymphoma? Because, again, that's
6 the type of cancer that Mr. Hardeman was diagnosed with in
7 2015. It is a cancer of the immune system. So the cancer will
8 occur at certain cells in your blood, and then it may show up
9 in different ways. So I think you saw one of the pictures was
10 in Mr. Hardeman's case he had a tumor on his neck, and that's
11 what led him to go to the hospital and seek a diagnosis, and
12 that's when they diagnosed in 2015 his non-Hodgkin's lymphoma.

13 I've already told you that there are 70,000 -- over
14 70,000, I think it's closer to 75,000, new cases per year here
15 in the United States alone. There are also 60 different
16 subtypes of non-Hodgkin's lymphoma. So doctors get even more
17 specific about which type of -- whether it's a certain type of
18 cell or other issues, which subtype of non-Hodgkin's lymphoma
19 people have who are diagnosed with it.

20 And so I mentioned this thing called DLBCL. It stands for
21 diffuse large B-cell lymphoma. That is the specific type of
22 non-Hodgkin's lymphoma that Mr. Hardeman had, and that is the
23 most common type of non-Hodgkin's lymphoma overall.

24 Now, you're going to hear from three different categories
25 of doctors who are going to talk to you about Mr. Hardeman.

OPENING STATEMENT / STEKLOFF

1 There are going to be other doctors that come in during trial.
2 You heard a lot about Dr. Ritz and Dr. Portier, but these are
3 the doctors who are going to talk to you specifically about
4 Mr. Hardeman.

5 The plaintiffs, I believe, are going to bring two experts.
6 They didn't say during opening, but I believe and we'll see,
7 that they are going to bring you a Dr. Weisenburger and a
8 Dr. Nabhan. Dr. Weisenburger is a pathologist. Dr. Nabhan is
9 an oncologist. So he's someone who treats cancer patients. He
10 stopped treating patients two years ago and is still
11 practicing -- dealing with medical issues, but he is a trained
12 oncologist.

13 You're also going to hear from three of Mr. Hardeman's
14 doctors who treated him during the course of the events we're
15 dealing with here. You're going to hear from Dr. Ye. Dr. Ye
16 was the doctor who took care of Mr. Hardeman starting in 2015
17 when he was diagnosed with non-Hodgkin's lymphoma and is still
18 taking care of him today.

19 You're going to hear from Dr. Turk, who's his general
20 practitioner; and Dr. Turley, who is his, I will simplify it,
21 ear, nose, and throat doctor, who actually took the biopsy of
22 that tumor that we saw on Mr. Hardeman's neck and that helped
23 diagnose his non-Hodgkin's lymphoma.

24 And then we also are going to bring you experts who are
25 going to talk to you about Mr. Hardeman. We're going to bring

OPENING STATEMENT / STEKLOFF

1 you Dr. Levine, who's an oncologist and a hematologist. A
2 hematologist is a doctor who specializes in blood disorders.
3 And then we're going to bring you Dr. Arber, who's a
4 pathologist.

5 And I'll talk to you more about them later, but right now
6 I just wanted to explain the three categories of doctors that
7 you are going to hear from during this trial about Mr. Hardeman
8 specifically.

9 And of those doctors, who tells patients outside of this
10 courtroom that Roundup causes cancer? None of them. Not a
11 single one. Not the plaintiff's experts, Dr. Weisenburger or
12 Dr. Nabhan; not Mr. Hardeman's doctors; and not the experts
13 that we will also bring. They do not tell patients outside of
14 this courtroom that Roundup causes cancer. They've never told
15 that to a single patient. None of them in all three
16 categories.

17 So I want to talk to you a little bit more about
18 Mr. Hardeman because, again, the question you have to answer
19 is: Did Roundup cause Mr. Hardeman's non-Hodgkin's lymphoma?

20 Mr. Hardeman today, I believe, is 70 years old. I've
21 talked to you about the fact that in 2015 he was diagnosed with
22 non-Hodgkin's lymphoma when he was 66. And we're going to talk
23 about these risk factors that he had for non-Hodgkin's
24 lymphoma, and I want to explain to you what a risk factor is.

25 A risk factor is something that increases your chance of

OPENING STATEMENT / STEKLOFF

1 developing a condition. And so all of these things --
2 hepatitis C, hepatitis B, the age 66 at which he was diagnosed,
3 and his weight or his body mass index -- increase his chances
4 of developing non-Hodgkin's lymphoma in 2015.

5 And then today, and this is fortunate and I think everyone
6 will agree on this -- first of all, everyone agrees that it is
7 tragic that he was diagnosed with non-Hodgkin's lymphoma in
8 2015. He has been in remission for almost a four-year period
9 that we are at today, and it's very fortunate that it hasn't
10 come back.

11 Mr. Hardeman's non-Hodgkin's lymphoma. The doctors that
12 you are going to hear from, his cancer doctors, they don't say
13 that Roundup causes cancer. They don't say that Roundup caused
14 his cancer. And you will not see a reference to Roundup or
15 that active ingredient glyphosate in a single medical record.
16 We've had access to all of Mr. Hardeman's medical records, both
17 sides. There's not a single reference to Roundup or glyphosate
18 in any of his medical records.

19 So I want to focus for a moment on Dr. Ye because, again,
20 Dr. Ye is the oncologist. He is the person who was responsible
21 for taking care of Mr. Hardeman when he was diagnosed with
22 non-Hodgkin's lymphoma in 2015. He also is an oncologist and a
23 hematologist. So he not only treats patients for cancer, but
24 he has a background in diseases that involve blood disorders.

25 He was educated and trained at excellent schools, New York

OPENING STATEMENT / STEKLOFF

1 University School of Medicine.

2 He had a fellowship, so he had further medical education,
3 at something called the National Institutes of Health. That's
4 the elite governmental organization that focuses on medical
5 issues in our country.

6 He treats over 50 cancer patients a week. He's treated
7 hundreds or thousands of patients with non-Hodgkin's lymphoma,
8 and he still treats Mr. Hardeman today. He is still his doctor
9 today, and you will see his testimony on video and hear what he
10 had to say about his care and treatment of Mr. Hardeman.

11 And I'm going to show you some of his testimony that you
12 will see. And I want to be clear, this testimony, we go and
13 take a deposition. It's a normal part of a legal process.
14 There's a court reporter there and the witnesses are under
15 oath, and you'll see several of those depositions. But his
16 deposition took place at the end of October last year. So this
17 is recent testimony that he gave about the questions you have
18 to answer in this case. And he was asked (reading):

19 "As part of your care and treatment of your patients,
20 if you could determine the cause of their cancer, you
21 would want to do so; right?"

22 And his answer was "Yes."

23 So doctors, of course, who are treating patients outside
24 of this courtroom in the real world, if they can learn what
25 caused a patient's cancer, they want to know because that is

OPENING STATEMENT / STEKLOFF

1 going to help them with their patients. That's going to
2 improve their ability to help their patients, and that's what
3 Dr. Ye testified to and you will see that on his video.

4 We also asked him (reading):

5 "And you've never determined -- tried to determine
6 whether any of them" -- that's any of his patients --

7 "were exposed to glyphosate; correct?"

8 His answer was "No, I don't."

9 So he doesn't even ask his patients about whether they
10 used Roundup or whether they used any sort of glyphosate
11 product if it was different than Roundup.

12 And, finally, we asked him about his medical records and
13 we asked (reading):

14 "Now, we looked at a number of medical records
15 regarding your care and treatment of Mr. Hardeman. And we
16 can agree that nowhere did you ever write down glyphosate
17 or Roundup in his medical records; correct?"

18 And his answer was "I don't believe I would have."

19 And that's because he never told -- he has never told
20 Mr. Hardeman that his cancer was caused by Roundup.

21 Now, you heard a little bit about hepatitis C at the end
22 there. Do you remember that list of known risk factors that we
23 just discussed? So one of them was hepatitis C, and I want to
24 talk to you about what hepatitis C is and then show you some of
25 the medical records from Mr. Hardeman's medical history about

OPENING STATEMENT / STEKLOFF

1 hepatitis C.

2 Hepatitis C is a viral infection. It can lead to liver
3 cirrhosis. So some of you may or may not have heard of liver
4 cirrhosis, but that's basically a scarring of your liver. And
5 having hepatitis C alone, if you have it for long enough, if
6 you have it for decades, it can actually lead to liver
7 cirrhosis in your body, but you have to have it for a long time
8 for that to happen.

9 It can cause genetic mutations, genetic mutations that can
10 lead to cancer, and it is a known cause of non-Hodgkin's
11 lymphoma.

12 So in 2005 you heard that Mr. Hardeman went and was
13 diagnosed with active hepatitis C. And this is one of his
14 medical records. This is the doctor that was treating him for
15 that, for the hepatitis C, Dr. Ruffner-Statzer; and during that
16 consultation, she noted that he had a history of hepatitis
17 dating back to 1966.

18 **MS. WAGSTAFF:** Objection, Your Honor.

19 **THE COURT:** Take down the slide.

20 **MS. WAGSTAFF:** Can we take the slide down?

21 **MR. STEKLOFF:** Yes.

22 **THE COURT:** You can't use that slide.

23 **MS. WAGSTAFF:** I believe that violates --

24 **MR. STEKLOFF:** Okay.

25 That's not the only medical record that talks about

OPENING STATEMENT / STEKLOFF

1 Mr. Hardeman's chronic hepatitis C, that he had hepatitis C for
2 a very long period of time. And so these are other medical
3 records that are in his file that all reference chronic
4 hepatitis C over time.

5 I don't believe there will be any dispute, there shouldn't
6 be any dispute, that Mr. Hardeman was exposed to hepatitis C in
7 the 1960s and that he had active hepatitis C for a long period
8 of time.

9 And part of the reason that we -- why we know he had
10 hepatitis C active in his body for a long period of time is
11 that he did, in fact, unfortunately, have cirrhosis of the
12 liver.

13 So you can also see, this is one of his medical records,
14 that he developed cirrhosis of the liver; that hepatitis C,
15 that hepatitis C, that virus in his body, caused scarring in
16 his liver to be diagnosed with cirrhosis.

17 And so we asked Dr. Weisenburger (reading):

18 "Is it your opinion that the cirrhosis of his
19 liver" -- this is Mr. Hardeman's liver -- "was a result of
20 his hepatitis C infection?"

21 And his answer was "Yes."

22 So you don't have to take it from me. You don't have to
23 take it from the medical records. Their expert agrees that
24 hepatitis C led to cirrhosis of the liver in Mr. Hardeman, and
25 what that tells you is it was in his body and it was impacting

OPENING STATEMENT / STEKLOFF

1 him for a long period of time.

2 And this is just a timeline that sort of summarizes
3 Mr. Hardeman's hepatitis C, including his treatment for
4 hepatitis C. So you can see he was exposed to hepatitis C in
5 the 1960s.

6 You'll actually here that in 1989 there's a record where
7 he had elevated liver enzymes, and that shows again the
8 hepatitis C is doing something to his body. It's causing
9 enzymes in his liver to be elevated when he tests for them.

10 In 2005, that's when his hepatitis C was identified by his
11 doctors on an ultrasound. He then had treatment for his
12 hepatitis C for about almost two years, a little less than two
13 years, and it ended in November of 2006.

14 And then the hepatitis C, while it hasn't shown up on
15 blood tests since then -- so I think we heard the word "cured."
16 Hepatitis C is actually, you're going to hear, a little bit
17 like chicken pox. You can have it cured but it never quite
18 goes away. If you really, really dug, it's there. So his
19 diagnosis of non-Hodgkin's lymphoma took place in 2015.

20 Now, what did plaintiff's experts -- again, these are
21 plaintiff's experts -- say about hepatitis C as a risk factor?
22 We asked Dr. Weisenburger (reading):

23 "You agree" --

24 **THE COURT:** Hold on. I don't think it's appropriate.
25 Take down that slide.

OPENING STATEMENT / STEKLOFF

1 **MR. STEKLOFF:** Yes, Your Honor.

2 **THE COURT:** It's not appropriate to be showing
3 deposition testimony to the jurors that may not come in. The
4 experts will be testifying live so what you asked them in your
5 deposition is not relevant right now.

6 **MR. STEKLOFF:** Okay.

7 **THE COURT:** So exclude any references to prior
8 deposition testimony by experts.

9 **MR. STEKLOFF:** Okay, Your Honor. Thank you.

10 You will hear, I think there will be no dispute, from
11 their experts that hepatitis C is a risk factor for
12 non-Hodgkin's lymphoma, and I also believe that you will hear
13 that they will admit that hepatitis C causes genetic mutations.

14 So those two points should be no dispute about.
15 Hepatitis C, especially if you have it for a long time, it's a
16 risk factor that increases your chances for non-Hodgkin's
17 lymphoma, and it is something that in your body causes genetic
18 mutations.

19 So is hepatitis C a risk factor for Mr. Hardeman? The
20 answer to that question is yes. Now, plaintiff's experts, when
21 they do this differential diagnosis, they're going to say "You
22 shouldn't pay attention to that." But it is an accepted risk
23 factor for Mr. Hardeman for his non-Hodgkin's lymphoma.

24 Now, you also heard that Mr. Hardeman had hepatitis B.
25 Hepatitis B is a different version of hepatitis, and it's

OPENING STATEMENT / STEKLOFF

1 unclear exactly how long he had it. I think it will be clear
2 that he was exposed to it again in the 1960s. Hepatitis B,
3 your body can treat itself. You don't have to go through
4 treatment at a hospital, but hepatitis B also is a known risk
5 factor for non-Hodgkin's lymphoma.

6 I think even their experts will agree, I think when they
7 come on the stand and they're questioned, that hepatitis B is a
8 risk factor that in some instances can double your risk of
9 developing non-Hodgkin's lymphoma.

10 So, again, it has to be something that's considered as
11 something that increased Mr. Hardeman's chances of developing
12 non-Hodgkin's lymphoma given that he had this condition; and we
13 know he had this condition because his medical records talk
14 about the fact that his body now has developed an antibody,
15 something to protect against hepatitis B from becoming active.

16 So is hepatitis B a risk factor for Mr. Hardeman's
17 non-Hodgkin's lymphoma? The answer is yes.

18 Now, you're also going to hear -- actually you just saw, I
19 think, in this chart -- that the plaintiffs listed known risk
20 factors, and two of those risk factors were age and weight or
21 body mass index. And so you're going to hear that if you are
22 over 60, it increases your risk of developing non-Hodgkin's
23 lymphoma. As you get older, unfortunately you are more likely
24 to develop this type of cancer.

25 You're also going to hear that if your body mass index is

OPENING STATEMENT / STEKLOFF

1 higher than it should be, that that increases your chance of
2 developing non-Hodgkin's lymphoma.

3 So, again, not just our experts but their experts are
4 going to agree, first of all, that those are risk factors; and,
5 second of all, that they were risk factors for Mr. Hardeman.

6 Now, again, they're going to dismiss them. They're going
7 to tell you that you don't need to pay attention to that
8 because at the end of the day, the only thing you should care
9 about is Roundup.

10 **MS. WAGSTAFF:** Objection. This is getting into
11 argument.

12 **THE COURT:** Sustained.

13 **MR. STEKLOFF:** I'll move on, but the evidence will
14 show that hepatitis C, hepatitis B, age, and body mass index
15 were all risk factors for Mr. Hardeman.

16 Now, I told you we are going to bring you two experts in
17 this case to talk to you specifically about Mr. Hardeman and
18 about whether or not -- and help you answer that question: Did
19 Roundup cause Mr. Hardeman's cancer?

20 One of the experts we're going to bring in I mentioned is
21 Dr. Levine. Dr. Levine previously practiced at Keck Medical
22 Center at U.S.C. in Los Angeles, University of Southern
23 California, and today practices at City of Hope also in
24 Los Angeles, which I think you heard actually during
25 plaintiff's opening, we will agree, is an elite worldwide

OPENING STATEMENT / STEKLOFF

1 recognized cancer center in this country. She actually was
2 recently, although she now has moved on, the chief medical
3 officer at City of Hope for nine years. During that time
4 period, she was actually Dr. Weisenburger's supervisor. He
5 reported to her.

6 She has published over 325 peer-reviewed articles,
7 including on issues relating to hepatitis C and many issues
8 relating to non-Hodgkin's lymphoma.

9 Before that, she chaired the hematology practice at the
10 University of Southern California, and she maintains a practice
11 today treating patients with non-Hodgkin's lymphoma. For
12 decades, she has been treating patients with non-Hodgkin's
13 lymphoma, including today.

14 Dr. Arber is the chair of pathology at the University of
15 Chicago. Before that, he was at Stanford as a pathologist.
16 He's also authored over 300 publications, including
17 publications on non-Hodgkin's lymphoma. He's been recognized
18 with awards.

19 And what they are going to come in and tell you is that
20 Roundup did not cause Mr. Hardeman's non-Hodgkin's lymphoma.
21 And what Dr. Levine is going to tell you is that if she had to
22 say what the most likely cause of Mr. Hardeman's non-Hodgkin's
23 lymphoma was, she would say hepatitis C, she would say that
24 hepatitis C that he was exposed to for decades that led to
25 cirrhosis of his liver that is a known cause of non-Hodgkin's

OPENING STATEMENT / STEKLOFF

1 lymphoma.

2 So I want to talk for a moment about Mr. Hardeman's
3 Roundup use. You heard a little bit about it I think toward
4 the end of the plaintiff's presentation.

5 He used Roundup around his home. He had, I think, two
6 different properties where he used it. He would take the
7 concentrate that you heard of and mix it in water, and then I
8 think the evidence will show that he would use a handheld
9 container, like the one that's sort of at the bottom left of
10 this picture, and he would mostly spot spray. So he would take
11 something that would reach out, he would look for weeds on his
12 property that needed to be killed, and he would try to reach
13 the spot sprayer and spray those.

14 And he stopped using Roundup in about -- in either late
15 2011 or early 2012. He's not quite sure. And that is three
16 years or so before he developed his non-Hodgkin's lymphoma.

17 So you also heard a little bit about Roundup, but what
18 does Roundup do? Roundup -- and you heard about glyphosate,
19 which is the active ingredient -- glyphosate targets a specific
20 enzyme in plants that is essential for their growth. So plants
21 need to produce amino acids or proteins to grow, and glyphosate
22 actually targets those proteins and kills them off.

23 But two things that Roundup does not do is it does not
24 enter the groundwater, so water that's contained in soil, and
25 it does not stay in soil. So you're not going to hear too much

OPENING STATEMENT / STEKLOFF

1 about this, but these are some of the basic points about how
2 Roundup works.

3 Glyphosate, which is, again, the active ingredient that's
4 been in Roundup, has been studied for decades. Roundup itself
5 has been on the market for over 40 years. There have been 800
6 scientific studies about Roundup. Now, to be clear, not all of
7 those studies are dealing with cancer, but there have been 800
8 scientific studies overall. And over 70,000 people have been
9 studied to have been exposed or have used Roundup and then were
10 evaluated for different issues.

11 When I present to you during this trial, when Tamarra and
12 Rakesh present to you, we are going to focus on the human data.
13 The human -- I think it was one of the puzzle pieces, the human
14 epidemiology.

15 And this is a publication by Dr. Portier. You heard a lot
16 about Dr. Portier who's the expert that's going to talk to you
17 about the animal studies and the cell studies, but he was part
18 of an international group that authored this publication that
19 talked about chemical assessments. And in that publication,
20 what these scientists that were part of that group said is that
21 (reading):

22 "In the evaluation of human health risks, sound human
23 data, whenever available, are preferred to animal data.

24 Animal and in vitro studies provide support and are used
25 mainly to supply evidence missing from human studies."

OPENING STATEMENT / STEKLOFF

1 So what is that telling you? If you want to know whether
2 a chemical or a product --

3 **MS. WAGSTAFF:** Objection. This is argument.

4 **THE COURT:** Overruled.

5 **MR. STEKLOFF:** If you want to know whether a chemical
6 or a product is affecting humans, the evidence will show you
7 should look at the human data because that is the best data to
8 answer the question.

9 And part of the reason is because in those animal studies
10 that you saw, what they do is they feed the animals with as
11 much glyphosate as possible. So I think you heard about the
12 maximum tolerable dose, something like that. I mean, just to
13 be clear, what they do for these rats and mice are they give
14 them as much glyphosate as they can possibly eat, and it is
15 thousands and thousands times higher than a human could ever be
16 exposed to in his or her lifetime.

17 And so that's why of all of those puzzle pieces, it's the
18 human data, it's the epidemiology that helps you answer the
19 question you need to answer.

20 So you saw a chart in opening of some of the studies that
21 I think Dr. Ritz is going to walk through when she comes into
22 the courtroom, and I want to talk to you about what the
23 evidence will show about those studies because I believe that
24 the plaintiff's evidence will be focused on four studies, and I
25 want to walk through you what these numbers mean.

OPENING STATEMENT / STEKLOFF

1 The blue chart here is how many people were in the study.
2 So you can see, you know, it ranges between 3,417 people in the
3 study in one of the studies and down to 1,656 in the second
4 study there. But the yellow line shows you how many of those
5 people were using glyphosate or were using Roundup, and those
6 numbers are much lower.

7 Because a lot of these studies date back to the 1970s and
8 the 1980s, while they were published later, the dates are
9 later, they were studying people in those earlier time periods.
10 And in those earlier time periods, Roundup wasn't used that
11 much so they were using -- they're all farmers, or most of them
12 are farmers, and they're using other pesticides. And what the
13 numbers here show is the number of Roundup or glyphosate users
14 in the studies that the plaintiffs are going to focus you on
15 are very small: 184, 16, 97, and 47.

16 Now, you're going to hear evidence about this concept of
17 adjustment for other pesticides so I want to talk to you about
18 what that means.

19 I think the evidence will show that everyone agrees that
20 in these studies, it is best to do something called adjusting
21 for other pesticides. If you have used multiple pesticides but
22 you want to find out if there's a relationship between Roundup
23 or glyphosate and cancer, you need to try to isolate Roundup or
24 glyphosate. You can't let the other pesticides play a role in
25 your evaluation.

OPENING STATEMENT / STEKLOFF

1 And there are statistical ways that epidemiologists, that
2 people who do this try to address that issue and try to run
3 statistical calculations to make these adjustments.

4 But what this shows is that when they did this in the
5 studies, in these small studies that the plaintiffs are focused
6 on, when they adjusted for other pesticides, it shows that
7 there is no increased risk between glyphosate or Roundup and
8 non-Hodgkin's lymphoma.

9 In the first study, the McDuffie study, they didn't even
10 do the adjustments. So the people there were exposed to
11 multiple pesticides while they were farming, and no adjustments
12 were made.

13 In the second study, Hardell, when they did the
14 adjustments, there was no increased risk for non-Hodgkin's
15 lymphoma.

16 In the third study, De Roos 2003, they did an adjustment
17 for pesticides but when they tried to adjust even further
18 because of the importance of this issue, that further
19 adjustment, the most adjusted number, showed no increased risk
20 for non-Hodgkin's lymphoma.

21 And the same is true in the Eriksson study. When they
22 adjusted for pesticides, there was no increased risk for
23 non-Hodgkin's lymphoma.

24 So, again, these are the four studies that the plaintiffs
25 are going to focus on. What is the study that the evidence

OPENING STATEMENT / STEKLOFF

1 will show demonstrates that non-Hodgkin's lymphoma [sic] does
2 not cause cancer and did not cause Mr. Hardeman's cancer? It's
3 that study that was referenced called the Agricultural Health
4 Study.

5 And the Agricultural Health Study had over 54,000 people
6 in it. Of those 54,000 people, 45,000, almost 45,000, used
7 Roundup or used a glyphosate product. The numbers, the
8 evidence will show, pale in comparison to the other studies.

9 So what is the Agricultural Health Study? Well, this is
10 actually the website of the Agricultural Health Study that you
11 could go to today. To be clear, you cannot actually go to that
12 website because Judge Chhabria, His Honor, has made very clear
13 about that; but anyone could go to this website today at
14 aghealth.nih.gov, and they could look at the study and they
15 could get various information about the study.

16 You can see here there's a column for about the study,
17 information for study participants. They talk about their
18 scientific collaboration. They report their news and their
19 findings. They have contact information.

20 And at the bottom of the page you can see some of the
21 organizations that are involved in this study. The National
22 Institutes of Health, which I talked about before, is the
23 governmental organization focused on medical issues in this
24 country. There's actually a specific part of the National
25 Institutes of Health known as the National Cancer Institute

OPENING STATEMENT / STEKLOFF

1 that is specifically involved in this study.

2 There's the National Institutes of Occupational Safety
3 Hazards. There's the EPA, and you can see usa.gov. There are
4 also academic universities, like the University of Iowa, that
5 are involved in running this study.

6 You can go into the website, as I mentioned, and get more
7 information. So on that study updates page, you can see even
8 in 2018 they talk about their 25th anniversary edition, who is
9 the Agricultural Health Study research team, the past 25 years,
10 key findings from the study, and looking to the future because
11 they continue, given the importance of agricultural health
12 issues, to continue -- they continue to study these issues.

13 And so what is the Agricultural Health Study? Again, it's
14 supported by the National Cancer Institute. Their goal -- one
15 of their goals, and this is their language, is they want to
16 identify and quantify cancer risks among men and women as well
17 as whites and minorities associated with direct exposure to
18 pesticides and to other agricultural agents.

19 And it's important to note that Monsanto or any other
20 industry company has nothing to do with this study. They are
21 not funding this study. This is an independent study run by
22 the government and these various organizations.

23 And so what's the process that the Agricultural Health
24 Study went through to help study these issues and help
25 understand for people who are being exposed to pesticides what

OPENING STATEMENT / STEKLOFF

1 they might see?

2 Well, first of all, the scientists who ran the
3 Agricultural Health Study used two detailed questionnaires at
4 different times to collect information from the over 50,000
5 people who signed up to be a part of this study.

6 The questionnaires included questions like which
7 pesticides they were using, how many years and how many days
8 they had used pesticides, how they sprayed the pesticides,
9 whether they wore any protective gear. This was all so they
10 could learn as much as they could about the people in the study
11 and how they were using pesticides.

12 And what the evidence will show is that the people in this
13 study who were using pesticides used pesticides more than
14 anyone who's using Roundup or other pesticides around their
15 yard.

16 Farmers who are using it are using it in different ways.
17 Some of them might be using tractor-trailers, but some of them
18 might be applying it directly. They're mixing it. They're
19 using it in their own yards. There were also professional
20 workers outside of farming who were using this, but these were
21 people who were using it regularly all the time in different
22 ways.

23 And they followed these participants since the mid-1990s
24 and have collected that data over time so they can answer the
25 question again in part whether there are cancer risks among

OPENING STATEMENT / STEKLOFF

1 those people.

2 In terms of answering the cancer question, they have gone
3 to independent state registries. If you're diagnosed with
4 cancer, that information is collected by states by law in a
5 database. So there's no question that they were going to
6 identify people who are part of this study and see if they had
7 cancer. They weren't going to miss that information.

8 Who were the Agricultural Health Study participants? In
9 other words, who were the people being studied? I've talked a
10 little bit about that. They used pesticides on farms, at work,
11 and around their home.

12 Their average pesticide use at the time that they signed
13 up in the 1990s was already 15 years. And then since then,
14 they've collected another 20 years of data. So these people
15 that are in this study, the almost 45,000 people who used
16 Roundup, have been using pesticides, including Roundup, for
17 over 30, close to 40 years.

18 And, like I told you, of the 50 or so thousand people who
19 signed up, nearly 45,000 used a glyphosate product, including
20 Roundup.

21 The authors and the people involved in this study at the
22 National Institute of Health and the other organizations have
23 collected this 40 years' of data and have issued over 250
24 published studies based on the work that they have done. This
25 has been a massive exercise designed to give us the best

OPENING STATEMENT / STEKLOFF

1 answers about pesticides, including Roundup.

2 And you're going to hear that the plaintiff's experts
3 actually -- just to be clear, you saw it this morning, the
4 evidence will show they're going to come in here and they're
5 going to criticize the Agricultural Health Study; but at the
6 same time they can't deny, first of all, that they respect the
7 National Cancer Institute, Dr. Weisenburger will tell you that;
8 and, second of all, you heard Dr. Ritz was an adviser to the
9 Agricultural Health Study. So for years she was involved in
10 that study. She has called it a beautiful study. She didn't
11 have criticisms that were public of that study while she was an
12 adviser to it. Then she became an expert for plaintiff's
13 counsel. Now she will come into this courtroom and tell you
14 that that study is not the study that should help you answer
15 the question, but she only started criticizing the Agricultural
16 Health Study after she became an expert in this litigation.

17 So what are the results of the Agricultural Health Study?
18 You heard about De Roos. Remember there was a lot of talk in
19 the plaintiff's opening about De Roos. There is a study called
20 De Roos 2003. I've talked about that. De Roos is one of the
21 authors who was involved in this Agricultural Health Study and
22 in 2005, Dr. De Roos and other authors tried to answer the
23 question: Let's take the people in the Agricultural Health
24 Study, the 45,000 people and see if there is an association
25 between their use of Roundup and non-Hodgkin's lymphoma. And

OPENING STATEMENT / STEKLOFF

1 this is what they said in a published article in the
2 environmental health perspectives, they said (reading):

3 "There was no association between glyphosate exposure
4 and all cancer incidence or most of the specific cancer
5 subtypes we evaluated, including non-Hodgkin's lymphoma,
6 whether the exposure metric was ever used, cumulative
7 exposure days, or intensity-weighted cumulative exposure
8 days."

9 And what that means is no matter how they measured it,
10 there was no association between Roundup exposure and
11 non-Hodgkin's lymphoma among those 45,000 people.

12 But they didn't stop there in 2005. In 2018 the authors
13 of the Agricultural Health Study, the scientists involved in
14 this study, looked at the question again. And this is their
15 conclusion in 2018 about the Agricultural Health Study. They
16 said (reading):

17 "No association was apparent between glyphosate and
18 any solid tumors or lymphoid malignancies overall,
19 including non-Hodgkin's lymphoma and its subtypes."

20 The evidence shows that the most significant largest study
21 with the most power demonstrates that there's no association
22 between non-Hodgkin's lymphoma and Roundup use or glyphosate
23 use.

24 So the authors, the evidence will show, of the
25 Agricultural Health Study, they did another thing. They said,

OPENING STATEMENT / STEKLOFF

1 "Let's try to take the 45,000 people that are part of this
2 study and then get -- and then try to look at 45,000 people who
3 are like them that have similar age, similar gender, similar
4 race, similar characteristics, but aren't using Roundup like
5 the other people."

6 What percentage of those people, just sort of regular
7 people in the United States, would develop non-Hodgkin's
8 lymphoma? Because, unfortunately, people develop non-Hodgkin's
9 lymphoma every day. And the answer that they came to was
10 1.07 percent. So 1 percent of people in the U.S. population
11 who have the similar characteristics to the 45,000 people would
12 develop non-Hodgkin's lymphoma.

13 Now, what is the evidence going to show? You're here and
14 you're hearing that Roundup causes non-Hodgkin's lymphoma.
15 What is the evidence going to show about the 45,000 people who
16 are using Roundup all the time? What is the rate of their
17 non-Hodgkin's lymphoma? Because you would expect it would be
18 much, much, much higher if Roundup is causing non-Hodgkin's
19 lymphoma.

20 The Agricultural Health Study shows that the rate was
21 almost exactly the same, less -- basically 1 percent; 1 percent
22 of people in society who weren't using Roundup all the time
23 developed non-Hodgkin's lymphoma and of the 45,000 people who
24 were using Roundup all the time for decades, 1 percent
25 developed non-Hodgkin's lymphoma.

OPENING STATEMENT / STEKLOFF

1 The other thing that this data tells you that the
2 Agricultural Health Study people published is that 99 percent,
3 99 percent of those 45,000 people who were using Roundup all
4 the time in every possible way did not develop non-Hodgkin's
5 lymphoma. And that's part of the reason that they concluded
6 that there's no association between the two, between Roundup
7 use and non-Hodgkin's lymphoma.

8 Now, what is the data also going to show, separate data?
9 So this is not part of the Agricultural Health Study, but this
10 data is also going to come into evidence during the trial.
11 This is a chart of Roundup use over time and it shows you that,
12 just like you heard in plaintiff's opening, in the '90s, that's
13 when Roundup use really started to increase in the
14 United States.

15 So you can see here, you know, starting around 1995 and
16 then continuing into the 2000s and if you look at the data up
17 until 2014 -- you know, it hasn't changed, but that's the most
18 recent data we have -- that's Roundup use.

19 So what would you expect? What would you expect the
20 evidence to show about non-Hodgkin's lymphoma rates if it's so
21 associated with Roundup as you're hearing?

22 Well, what the evidence is going to show you is that the
23 rates of non-Hodgkin's lymphoma in our country have remained
24 steady. They have -- I mean, there's little, little variances
25 but essentially they have stayed steady over time, and that

OPENING STATEMENT / STEKLOFF

1 includes the concept of the fact that it takes time for people
2 to develop cancer.

3 If in the 1990s Roundup use skyrocketed, you would see if
4 the plaintiff's theory is true, then the evidence would show
5 you that the rates of non-Hodgkin's lymphoma were increasing,
6 but that is not what the evidence will show. That's the data
7 that helps you answer the question.

8 So you heard a little bit about this group called IARC,
9 which was that international agency in France that came
10 together. And I don't want to talk about that for a long time,
11 but I do want to touch it briefly.

12 And what I want to say is that you will hear no evidence
13 that IARC in their classification of glyphosate has had any
14 impact on doctors like Dr. Ye who are treating patients here in
15 the United States. There will be no evidence that the IARC
16 classification has changed the way that he is treating his
17 patients who have non-Hodgkin's lymphoma every day.

18 Now, you're going to be instructed by the judge, and his
19 exact wording is what will control, that you shouldn't be
20 substituting your, I think this came up in jury selection, you
21 shouldn't be substituting your judgment for any other group.

22 But it is true that the EPA has disagreed with IARC. So
23 the EPA first approved Roundup in 1975. It determined that it
24 wasn't carcinogenic, that it didn't cause cancer. It has
25 reaffirmed that before IARC; and then since IARC, the IARC

OPENING STATEMENT / STEKLOFF

1 decision came out in 2015, the EPA has reaffirmed its view that
2 the evidence is not sufficient to show that glyphosate is
3 carcinogenic multiple times.

4 And it's not just the United States EPA that you're going
5 to hear about, which has done that across Administrations.
6 You're also going to hear some evidence about Europe and the
7 fact that Europe since the IARC decision since 2015 has also
8 reaffirmed that Roundup is not carcinogenic and is not causing
9 cancer.

10 **MS. WAGSTAFF:** Objection, Your Honor. Sidebar.

11 **THE COURT:** Overruled.

12 **MR. STEKLOFF:** So I want to go back to what happens,
13 again, outside of this courtroom. What is the evidence going
14 to show you cancer doctors, doctors like Dr. Ye and Dr. Levine,
15 other doctors who are treating patients every single day with
16 non-Hodgkin's lymphoma, what impact, if any, does Roundup have
17 on their care and treatment of patients?

18 And this is what the evidence will show. They don't ask
19 their patients about Roundup. They don't test for Roundup use
20 in any way. They don't warn their patients about Roundup. And
21 they don't say that Roundup causes cancer.

22 And what I want to talk to you for a moment about are
23 Dr. Nabhan and Dr. Weisenburger, the plaintiff's two experts.
24 Because to be clear, they are going to come into this courtroom
25 and they are going to tell you that Roundup caused

OPENING STATEMENT / STEKLOFF

1 Mr. Hardeman's cancer.

2 But they also practice outside of this courtroom. They
3 deal with patients. They deal with other oncologists. They
4 deal with pathologists, other pathologists. They deal with
5 Tumor Boards or other medical doctors. I mean, you saw that at
6 City of Hope Dr. Weisenburger was the chief of pathology. So
7 he is meeting with doctors all across that hospital. They
8 teach medical students.

9 What the evidence is going to show you is that Dr. Nabhan
10 and Dr. Weisenburger have never told a fellow oncologist or
11 pathologist that Roundup causes cancer. They've never taught a
12 medical student that Roundup causes cancer. They've never gone
13 to a conference of doctors and presented their views that
14 Roundup causes cancer. And they have never told a single
15 patient that they have treated, hundreds and thousands of
16 patients, that Roundup caused his or her cancer. That is what
17 the evidence will show outside of the courtroom.

18 Again, and this sums it up, they've never told a patient,
19 they've never told a colleague, they've never taught a medical
20 student, and they've never presented at a conference.

21 So, again, what is the question that you have to answer?
22 Has Mr. Hardeman proved the question did Roundup cause
23 Mr. Hardeman's cancer? And what is the evidence that tells you
24 that the answer to this question is no?

25 First, it's the data. I've blown it up here, but these

OPENING STATEMENT / STEKLOFF

1 are two pieces of data. What was the rate if you took the
2 45,000 people in the Agricultural Health Study and just found
3 other people that were like them in society? 1 percent. What
4 was the rate in the Agricultural Health Study? 1 percent. And
5 99 percent of those 45,000 people did not develop non-Hodgkin's
6 lymphoma who were part of that study using Roundup.

7 And the same data that I just discussed about the use of
8 Roundup over time and what the rates of non-Hodgkin's lymphoma
9 are over time.

10 Next, both Dr. Weisenburger and Dr. Nabhan are going to
11 tell you the evidence will show that Mr. Hardeman could have
12 developed the exact same non-Hodgkin's lymphoma had he never
13 used Roundup. So he could have had the exact same medical
14 history. Everything could have been the same, and he could
15 have never touched Roundup in his entire life; and
16 unfortunately in 2015 he could have developed this exact
17 non-Hodgkin's lymphoma. The evidence will show you that.

18 And then finally, what does Dr. Ye say? Dr. Ye is the
19 independent oncologist who treats Mr. Hardeman for his cancer
20 to this day. His testimony will show you that he would
21 determine the cause of cancer in his patients if possible. He
22 does not ask his patients about their Roundup use. He has
23 never told a patient that Roundup caused his or her cancer, and
24 he did not tell Mr. Hardeman that Roundup caused his or her
25 cancer.

OPENING STATEMENT / STEKLOFF

1 So when you have to go back and deliberate in a few weeks
2 after you hear all of the evidence and see the witnesses, the
3 answer to that question "Did Roundup cause Mr. Hardeman's
4 cancer?" will be no. I thank you very much for your attention.
5 It has already been a long morning. But as I said, we are just
6 going to present the evidence that we need.

7 Thank you very much for your time and attention.

8 **THE COURT:** Thank you. We will take a five-minute
9 break while we get ready for the first witness.

10 (Jury exited)

11 **THE COURT:** I have a number of items I will want to
12 talk to you all about eventually, maybe over the lunch break,
13 but in preparing for Dr. Ritz a couple quick things. One is
14 that I assume nobody is challenging the qualifications of the
15 other side's experts, correct?

16 **MR. STEKLOFF:** That's correct, Your Honor.

17 **MS. WAGSTAFF:** Your Honor, I believe we have
18 challenged the qualifications of Dr. Arber in a pending Daubert
19 motion in some of his motions.

20 **THE COURT:** Okay.

21 **MS. WAGSTAFF:** Not necessarily on his main or
22 pathology opinion, but he gave some sort of peripheral opinions
23 that I believe are still --

24 **THE COURT:** I'm sorry. I didn't recall that. I will
25 go back and look at that.

OPENING STATEMENT / STEKLOFF

1 But for any expert whose qualifications aren't being
2 challenged by the other side -- obviously do what you need to
3 do to establish to the jury that they are qualified -- but you
4 don't need to ask me to qualify them as an expert, and you
5 don't need to go through as much rigmarole as you might
6 otherwise do with an expert if the other side were challenging
7 the expert's qualifications.

8 Does that make sense?

9 **MS. WAGSTAFF:** Okay. That's helpful. Thank you.

10 **THE COURT:** And then just a reminder, you don't need
11 to ask to approach the witness -- I mean, you will because you
12 are in the habit of doing it; but you don't need to ask to
13 approach the witness.

14 And let me see if there is anything else.

15 We should talk about Dr. Ritz's testimony about dose
16 response, but my sense is that that is not going to be
17 necessary to do before the lunch break or is it?

18 **MS. WAGSTAFF:** Your Honor, what time are you planning
19 on taking a lunch break?

20 **THE COURT:** Around 11:45 or 12:00.

21 **MS. WAGSTAFF:** No. That will not be -- I can talk to
22 her about that at lunch.

23 **THE COURT:** Okay. So what we will do is right at the
24 beginning of the lunch break, we can talk about the dose
25 response. You-all can decide -- and it may make sense for

OPENING STATEMENT / STEKLOFF

1 Dr. Ritz to be in the courtroom for that discussion for the
2 boundaries to be established properly -- but I will let you-all
3 think about that.

4 But in the meantime, why don't you go ahead and we will
5 call the jury back in. We can get Dr. Ritz in and get her on
6 the stand and get the jury in.

7 **MS. WAGSTAFF:** I need to run to the restroom.

8 **THE COURT:** We will take two minutes, and then we will
9 be back.

10 (Whereupon, a short break was had.)

11 **THE COURT:** The other thing is you can have your
12 witness on the stand when we come in to bring in the jury.

13 (Jury entered.)

14 **THE COURT:** Okay. The Plaintiff can call his first
15 witness.

16 **MS. WAGSTAFF:** Your Honor, the Plaintiff calls
17 Dr. Ritz to the stand. I just saw her in the hallway, so --

18 **DR. BEATE RITZ,**
19 called as a witness for the Plaintiff, having been duly sworn,
20 testified as follows:

21 **THE CLERK:** For the record please state your first and
22 last name and spell both of them.

23 **THE WITNESS:** My name is Beate Ritz, B-E-A-T-E
24 R-I-T-Z.

25 **THE CLERK:** Thank you.

DIRECT EXAMINATION

BY MS. WAGSTAFF

Q. Good morning, Doctor -- good afternoon, Dr. Ritz.

A. Hi, Aimee.

Q. How are you doing?

A. I'm good.

Q. Okay. Have you ever testified in front of a jury before?

A. No.

Q. Okay. So why don't you tell the jury a little bit about yourself?

A. So my name is Beate. That is a German name but I'm American. I have lived here since 1989. I got a medical degree from the University of Hamburg. And as a doctor, I was extremely frustrated not to be able to prevent diseases and just having to treat them. So I decided I want to go into public health, and the best schools of public health were in the U.S. And I came to California because I -- there was a really good school at UCLA. That was in 1989. And I have been there ever since.

So I went through the program at UCLA; and when I came here, I was already interested in occupational and environmental health. And while I was at UCLA, I started on a big worker health study in the nuclear industry; and when I graduated, UCLA wanted to hire me. So they actually hired me in 1995, and they hired me in an organization within the

1 university that is called the Center for Occupational and
2 Environmental Health, and that is actually a really interesting
3 institute because it was formed by legislative demand in 1980
4 because there was an incident in a little -- in a company
5 called Oxy Chemical in Lathrop, California, not far from here,
6 where workers realized they couldn't have children. And what
7 the company produced was a pesticide. It was a fumigant that
8 was mostly exported to other continents for treatment of fruits
9 and vegetables, pineapples and bananas and other things.

10 And so when these workers then demanded an investigation
11 of what was happening to them, there weren't any doctors who
12 could actually do this research. And what happened is that the
13 California legislature was so upset that among all the doctors
14 in the UC system nobody knew how to do a study, they demanded
15 that Centers for Occupational and Environmental Health would be
16 formed, and that these centers should be having doctors,
17 researchers and people who could go out in the community when
18 something like this happens.

19 So my position is actually one of ten at UCLA where we are
20 tasked to do exactly that; to do research that improves the
21 environment and improves the working conditions of people in
22 California. And that is what I really have been trying to do
23 for the last 20 years since I was hired -- more than 20 years
24 now.

25 Q. Excellent. And you are a medical doctor, you just said --

1 **A.** Yes.

2 **Q.** -- but you are also an epidemiologist, right?

3 **A.** Correct.

4 **Q.** So please explain to the ladies and gentlemen of the jury
5 what epidemiology is and what you do as an epidemiologist.

6 **A.** Right. So in that discipline, epidemiology, it is part of
7 public health, and actually I would consider it the basic
8 science of public health; and that is because we are
9 studying -- we, as researchers, are actually studying what
10 causes disease.

11 So in a sense what I'm interested in is finding out does a
12 work environment with certain exposures to the workers cause
13 that disease that I'm seeing among the workers. If, for
14 example, somebody lives in a very polluted neighborhood, we
15 would be investigating whether the air, the water, the soil
16 contamination is responsible for the disease. The way we do it
17 is not like a doctor who diagnoses a disease and mostly treats
18 patients and has some suspicion of what could cause the
19 disease. We are also tasked with finding out what does cause
20 the disease.

21 That is not easy, right, because you have many, many
22 different things you breathe, you drink, you get contaminated
23 with when you work in your garden or which workers use when
24 they are in their jobs; right? But we have to figure out what
25 is it that is toxic and that is actual linked to this disease.

1 And the only way to really do this would be to turn back the
2 clock, to use a time machine.

3 So when we see the people who are sick, right, everything
4 has already happened. What we want to know is if we go back in
5 time and take away that one exposure that caused their disease,
6 would they not have gotten sick, and that's what I call the
7 time machine. And that's how we think about it.

8 You know, people get sick. Lots of things happen
9 throughout their lifetime until they get sick, but what was it
10 that I would have to go back and take out that would prevent
11 them from being sick. And, of course, you know, we know
12 Hollywood and they have movies in which we can turn back the
13 time and, you know, try it, do an experiment. Take out this
14 and see what happens. But in real life, that's not possible.

15 So what do we do? We are looking for people who live a
16 similar life, who have a similar job, who live in the same
17 neighborhoods, and then we are trying to figure out, okay, what
18 is different among those who actually got the disease, and
19 those who are still healthy and they are the same age. They
20 are the same sex. They are the same socioeconomic status,
21 income. Maybe they are even workers at the same company. But
22 what is it that distinguishes that worker from this so that
23 that worker got the disease and this one didn't; right?

24 And so we are comparing groups of people who we hope are
25 most similar to each other except for the one exposure that we

1 are interested in. And then we come to a conclusion that, yes,
2 the rate of disease among the exposed, the workers who had this
3 one exposure, is higher than the rate of disease among the
4 people who didn't have the exposure. And that is what we call
5 the rate ratio, and odds ratio, risk ratio, meaning the number
6 of people exposed is -- and who got sick is larger than the
7 number of people who weren't exposed and did not get sick. And
8 those numbers are usually above 1. When we see these numbers
9 above 1, we know there is more happening among the exposed that
10 should not have happened had they been unexposed, had we kept
11 that exposure away from them.

12 It sounds easy, but it is really difficult to find the
13 right comparison and to do these studies right. And this is
14 what I teach at UCLA to my students. I just right now teach it
15 three times a week. So tomorrow my TA is in charge. And -- I
16 hope they do a good job -- and it is not easy. The students
17 struggle with these concepts, and I really feel for you that
18 you have to sit through this. So bear with me.

19 It is not easy, but what we are trying to do is really
20 compare two groups because we don't have the time machine to go
21 back and take each exposure out and then see whether the person
22 would still get sick. Rather, we are looking at groups of
23 people, comparing them.

24 Q. All right. Thank you, Dr. Ritz.

25 Will you explain to the jury what environmental

1 epidemiology is and if there is a difference between
2 environmental epidemiology and epidemiology, just general
3 epidemiology. Can you explain if there is a difference?

4 **A.** Right. So environmental and occupational epidemiology,
5 because the highest exposures we ever have are actually mostly
6 in occupational environments, so workers have always been our
7 canaries in the coal mine, so to say, for most exposures that
8 we are trying to figure out, are they health relevant. Do they
9 cause disease; right? We like to go back to workers because
10 they are the ones at the front line of everything.

11 So environmental and occupational epidemiologists, my
12 specialty, really are the experts in trying to figure out what
13 exposures are, how large they are, how we can measure them, how
14 we can measure them over a very long time period, and then link
15 that to any disease that people might want to figure out. So
16 we are not the specialists in one disease or the other;
17 although, all of us have their favorites, right, cancer,
18 neurodegenerative diseases, child diseases.

19 But we generally are the people who are figuring out the
20 exposure and how much of it do you need, how long do you need
21 to be exposed, when do you need to be exposed. For example, do
22 you already have to be exposed in childhood? Is it bad when
23 pregnant women are exposed or is it especially bad that you are
24 exposed when you are elderly because you don't have the
25 defenses anymore? All of these things is what environmental

1 and occupational epidemiologists do.

2 Q. All right. Are you familiar with the International
3 Society of Environmental Epidemiology, otherwise known as ISEE?

4 A. In fact, I'm the president.

5 Q. Okay. So you are --

6 A. Yes.

7 Q. -- familiar with it.

8 Will you tell the ladies and gentlemen of the jury what
9 ISEE is, what it stands for and what your role is there?

10 A. So this is an international society of professionals. It
11 is called the International Society of Environmental
12 Epidemiology where people like me come together, and we come
13 together every year for an annual conference; and in between we
14 have many working groups where we are figuring issues out among
15 colleagues. And it is thousands of people like me, all over
16 the world, who get together to discuss issues of our science,
17 and we are very critical of each other; and we are critical for
18 a good reason because we try to figure out the best science.

19 And really, this is where our students come. I love the
20 society because it has a lot of young people, and we are
21 training our students to be able to go there. We are
22 encouraging them to present their research and to be challenged
23 because, you know, in order to find the truth, we have to
24 challenge each other and we have to learn to stand up to being
25 challenged, to defend our position, and to be truthful and do

1 the best studies we can.

2 Q. All right. So are you familiar with the epidemiologist
3 that Monsanto has designated in this case?

4 A. Yes, I am.

5 Q. Dr. Mucci and Dr. Rider; correct?

6 A. Right.

7 Q. Are either Dr. Mucci or Dr. Rider an environmental
8 epidemiologist?

9 A. No, they are not.

10 Q. And what is the significance of that with respect to an
11 opinion that they would give in this case?

12 A. Dr. --

13 MS. MATTHEWS: Objection.

14 THE COURT: There is an objection.

15 MS. MATTHEWS: Objection to collateral use of --

16 THE COURT: Overruled.

17 BY MS. WAGSTAFF

18 Q. You can answer.

19 A. Okay. So these are two young colleagues who are
20 specialists in a different field. It sounds like epidemiology
21 that should encompass every epidemiologic study or every study
22 of human health, right. But we have branches, and the branch
23 that Dr. Mucci and Rider are specialists for are molecular
24 epidemiology. That is a very technical term, but what they
25 mostly know to do is to test cells and to test genetic factors

1 that contribute to disease, to cancer.

2 And they also have -- so it is much more a -- it is much
3 more detailed in terms of the technology, but they have no
4 training or no specialty in going out into the field, which I
5 do, and asking people about their work or their environmental
6 exposures. It is really hard to capture environmental and
7 occupational exposures over a lifetime and, therefore, we are a
8 specialty. And that's not what these two do. They have never
9 done that.

10 Q. All right. Have you ever, yourself, developed an exposure
11 assessment model?

12 A. Absolutely, yes. That's my job.

13 Q. Okay. So can you tell the ladies and gentlemen of the
14 jury what an exposure assessment model is and maybe describe
15 one that sort of exemplifies what you have created.

16 A. Right. So as a student, I had it easy. I worked with
17 workers in the nuclear industry, and the nuclear industry, as
18 much as we can say, "Oh, my God, they are exposing workers to
19 radiation," they very early on were regulated quite well and
20 the workers actually had to wear badges. So every day they
21 would go into the facility. They would put on their badge, and
22 that badge would read -- you would be able to read off that
23 badge how much exposure in radiation dose they got; right?

24 So my job was really easy as an occupational
25 epidemiologist. I could just, you know, collect all these

1 badge readings, and then reconstruct what the dose of the
2 worker was throughout the time they worked at the facility; and
3 I could easily find out, okay, this worker had a low dose.
4 This worker had none. This worker had a high dose.

5 And what I told you before, I then compared the high dose
6 to the medium dose to the low dose, and we looked for leukemias
7 and for other cancers, right; worried that workers exposed
8 would have had these diseases. And, lo and behold, we found
9 that. That was my easy job in terms of exposure assessment.

10 When I graduated, I thought I would do something a little
11 more challenging. Guess what? Pesticides are really
12 challenging to figure out. So one of the first things I did
13 when I was a junior professor was to say, Well, we have an
14 agricultural state. We don't look like it when we are in San
15 Francisco or LA, but go to the Central Valley, right? So I
16 actually set up most of my research in the Central Valley
17 because I believed there -- the Central Valley is where people
18 are exposed occupationally and environmentally to more toxins
19 than anybody else. Okay.

20 **THE COURT:** One moment, Doctor.

21 **MS. MATTHEWS:** Objection. Relevance at this point,
22 Your Honor.

23 **THE COURT:** Overruled.

24 **THE WITNESS:** So -- and I know that these pesticides
25 are being used for a good purpose. I'm not saying any of the

1 farmers are doing this because they -- they intend to harm
2 someone. The opposite. They want to put food on your table;
3 right? They want to -- they want to give you fruits and
4 vegetables and nuts that we all like to eat and think it is
5 nutritious, and we should eat them; but they also need to
6 defend themselves against pests, insecticides, fungi that rot
7 the oranges, et cetera.

8 So I know from my perspective that the Central Valley is
9 really a big experimentation hub for pesticide exposure in
10 humans, and it is for workers and it is for residents.

11 So the exposure models that I built was actually based on
12 something very unique in California, and California should be
13 proud of it. In 1974 the legislature decided yes, we are using
14 a lot of pesticides; but we better make sure where they are
15 used, who uses them, when they are used and how much is used.
16 And they created, by state law, something called The Pesticide
17 Use Reporting System so that applicators, farmers, professional
18 pesticide applicators, they actually have to report all of this
19 to the State every month or every year; and that goes into a
20 big database. And that database, when I became a junior
21 professor, hadn't ever been really used for human health
22 studies, and I said here is something I can do. I love
23 numbers. I love big numbers and I love modeling. I love
24 workers, the environment, and I want to do this. Give me the
25 data, and I want to figure out whether these pesticides

1 actually are doing something they shouldn't, including harming
2 individuals who live and work with them; right? And how we can
3 hopefully figure out to prevent that, because that's in the end
4 all I want to do. I want to prevent this from happening;
5 right?

6 So what we did is we downloaded these databases, and then
7 students over years worked on mapping them. So we now have an
8 electronic database where we can say what has been applied on
9 what field, at what time, in what amount, for the whole of
10 California. We started with three small counties -- Tulare,
11 Fresno and Kern County -- and we developed this mapping system
12 so that we can now say every worker, every individual who lives
13 there, we can tell who was sprayed around their homes, what was
14 sprayed around the workplaces; and we can summarize the amount
15 of pesticide in -- and the amount of pesticide and the timing
16 of when it was applied in the Central Valley, and I have done
17 many, many studies on that.

18 **Q.** Excellent. Thank you.

19 How about for -- your work on the California Air Resources
20 Board panel, can you tell the jury a little bit about your work
21 on that?

22 **A.** Yes. So about six, seven, eight years ago I was appointed
23 to the Air Toxics board. That is not so surprising because I'm
24 one of very few professionals in the state of California who is
25 tasked with preventing occupational and environmental exposure

1 and figuring out what they do.

2 So we have an agency in California called the OEHHA, the
3 Office of Environmental Health & Hazard Assessment, and they
4 are tasked by the State of California to keep your air clean
5 and to prevent you from breathing toxic contaminants.
6 Pesticides are some of these toxic contaminants, air toxic
7 contaminants. These are people who work in a bureaucracy.
8 They are scientists at OEHHA, and they are trying to figure out
9 what different chemicals do and whether or not they should
10 actually be considered an air toxic. So when they do this --
11 that is their job. But at the end of their evaluation, when it
12 comes to, okay, this is an air toxic and here are all the
13 arguments why it is, a lot of times animal studies, cell
14 studies and some human studies. And then they -- they need an
15 expert panel -- and I'm one of those experts -- who then
16 evaluates that report before they are allowed to go to standard
17 setting because we want to make sure that what they are
18 actually doing is scientifically valid.

19 And they are -- they are bringing all the science together
20 and evaluating what is out there, but they are not doing the
21 science. So the people who are just bringing it together and
22 evaluating and setting standards to protect the public, they
23 are not -- they are not ever the ones who are doing the
24 science, and so the link is you need a scientist like me who
25 goes out there and actually collects all this information and

1 then puts it together and does a study to see whether what
2 their summary says is actually accurate and whether they
3 truthfully represented what is in those studies and whether the
4 conclusions that they come up with, I or my panel would agree
5 with. So I'm appointed to that.

6 Q. All right. Who appoints you to that?

7 A. The Governor.

8 Q. The Governor of California?

9 A. Yeah.

10 Q. Okay. Have you done any work with the National Academy of
11 Science or the Institute of Medicine?

12 A. Absolutely.

13 Q. Could you tell the jury a little bit about your work with
14 those entities and maybe explain what they are as well.

15 A. Right. So the National Academy of Science is actually
16 quite old. That is a federal agency -- not agency. It is a
17 not-for-profit organization, but it was mandated by the federal
18 government -- actually by Abraham Lincoln in '63 -- 1863, as an
19 independent body that gives the government scientific advice
20 when they need it. So it -- and this body has been functioning
21 ever since and giving scientific advice.

22 And some of the advice that I was asked to give -- and
23 have been sitting on five or six of these panels since 2000,
24 ever since I wasn't as junior anymore -- so what I was asked
25 was mostly to come in for the Veterans Administration and

1 evaluate the science on Gulf War Syndrome and all the Gulf
2 War-related disorders from air pollution, from pesticides, et
3 cetera. And I think I have been sitting on at least three of
4 those.

5 And more recently, on a bigger panel that was called Risk
6 Assessment and Guidelines for Risk Assessment in the nation.

7 Q. Okay. And did you receive an award recently?

8 A. Yes.

9 Q. Can you tell the ladies and gentlemen of the jury what
10 award you received?

11 A. Yes. So I was very surprised in January when I got an
12 e-mail from one of my students and then a cake that said "Top
13 1 percent," and I was What is this? It turns out that there is
14 an online machine learning tool and company that actually
15 figures out how often as a scientist you are cited -- your work
16 is cited worldwide, and then they are naming the scientists who
17 are among the top 1 percent in the world whose science is being
18 cited by other scientists, and I made the list.

19 Q. Congratulations.

20 So let's move onto journals and medical journals. Can you
21 explain to the ladies and gentlemen of the jury, what a medical
22 journal is and how it comes -- well, why don't we start with
23 what a medical journal is.

24 A. So A medical journal is the main instrument of
25 communication between scientists. Once you have done a study,

1 you have to write it up; right. And you write up why you did
2 it, how you did it, what you found; and then you discuss what
3 it means; right. And the journals that publish these articles,
4 they are really giving us an outlet to give this information
5 that we are collecting and putting together to the public.

6 And as a journal, they have the duty to make sure that
7 what we have been doing at UCLA, at Berkeley, wherever, is
8 actually not junk; right. It is actually truthful, good
9 science. And so what they do is they ask peers -- these are
10 other scientists -- hopefully experts, hopefully in the field
11 that you are working at -- to read these articles and to think
12 about -- the articles have to have enough information so that
13 your peer, the person who also does these kind of studies,
14 knows what you have been doing; can follow why you have been
15 doing it, and what you have been doing and evaluate whether
16 what you are saying about what you did is enough that you can
17 come up with the conclusion that you made in your -- in your
18 study. And none of these peers are ever paid to do this. This
19 is voluntary work. It is a lot work. It is hard work, but it
20 is what keeps us honest as scientists; right?

21 If there wasn't somebody -- and they are judges in a way
22 because if we cannot satisfy our peers or other experts with
23 what is in these papers is actually the truth in some way, as
24 long as they can follow what I did, then the paper -- they can
25 recommend the paper not to be published. They recommend a lot

1 of changes. When they don't understand something, I have had
2 papers where I had to write more explanations than the paper
3 was long to the peer reviewers, and I had to do it multiple
4 times until they finally understood why what I was saying was
5 actually okay. And then the editors evaluate all of that and
6 say, okay, now, that the peer reviewers are satisfied, maybe I
7 still have a problem with this; and they come back to you and
8 have that problem. And in the end they decide whether you
9 answered all the questions and if what you are actually
10 producing in this paper is truthful and valuable and valid.

11 Q. All right. And have you ever been a peer reviewer
12 yourself?

13 A. Absolutely. I do it all the time.

14 Q. Okay. And you mentioned an editor who is above the
15 peer-review process. Have you ever participated on an
16 editorial board?

17 A. Yes. I was an associate editor.

18 Q. Okay. Were you an associate editor for a medical journal?

19 A. For an epidemiology journal.

20 Q. Okay.

21 A. So that's -- epidemiology is my profession. So --

22 Q. So have you served on the -- what journals have you served
23 on the editorial board of?

24 A. Epidemiology and I do -- I also -- one on current opinion
25 in environmental health, which pretty much reviews bigger areas

1 of environmental and occupational studies. I stay away from
2 editorial boards because there is only so much time in a day,
3 and I'm already president of my society. I teach. I do
4 research. And, you know, I travel a lot. And I -- I try to do
5 what I do as well as I can, and I would feel not having enough
6 time to be on yet another editorial board. So currently I am
7 not.

8 **Q.** All right. And aside from being a peer reviewer and on
9 editorial boards, have you, yourself, been published and had
10 your papers go through this peer-review process?

11 **A.** Absolutely. I wouldn't be at the University of California
12 anymore if I wouldn't be publishing and publishing a lot. We
13 are evaluated every -- all -- every two or three years for what
14 we are publishing and producing. It is called productivity.
15 So actually mine was pretty good. I now have about 270 papers
16 that are peer reviewed in the literature that came out since
17 1995.

18 **Q.** All right. And are those 270 peer-reviewed literature
19 articles that you wrote, are they on epidemiology?

20 **A.** They are on the epidemiology of different diseases
21 including cancers and mostly environmental and occupational
22 causes.

23 **Q.** All right. Do those articles that you had peer reviewed
24 that you wrote, do they consider your exposure models and your
25 exposure methods? Do those -- are those included within the

1 articles?

2 **A.** Absolutely. It is actually what I'm known for.

3 **Q.** Okay. Were you asked by the State of California to advise
4 on pesticides?

5 **A.** Within my Air Toxics board appointment, pesticides come
6 up. So last year chlorpyrifos, which is a very commonly known
7 used insecticide -- actually it was the most used indoor
8 insecticide we had in California until it was banned from
9 indoor use. It is still being applied in the fields. That has
10 been evaluated by that board last year.

11 **Q.** All right. Excellent.

12 **MS. WAGSTAFF:** Your Honor, this may be a great time to
13 break for lunch.

14 **THE COURT:** Okay. Sounds good. Why don't we take a
15 slightly longer break than usual today so you-all can find your
16 way around the building and stuff. I noticed that the clock
17 is -- this clock is five minutes slow. Why don't we plan on
18 coming back here at 12:45; not 12:45 by that clock, but 12:45
19 by your iPhone, which will be about 12:40 by this clock.

20 Remember my admonition by the way. I'm going to sound
21 like a broken record on this stuff, but it is very important,
22 critical that you not talk about the case with anybody or
23 amongst yourselves; that you not conduct any sort of research
24 or anything like that about the case or anybody involved in it.
25 And no Google searches, not even for a term that was used in

RITZ - DIRECT / WAGSTAFF

1 the case. You shouldn't do any kind of research at all.

2 If anybody tries to talk to you about the case, you should
3 let us know immediately.

4 With that, have a good lunch. We will see you back here
5 at 12:45 by your iPhones.

6 (Jurors exit.)

7 **THE COURT:** Dr. Ritz, sit tight for just a second.
8 Should we talk about the Dr. Ritz -- the issue of dose response
9 now with Dr. Ritz here or how would you like to proceed on
10 that?

11 **MS. WAGSTAFF:** That works. I also want to make sure
12 that we are all on the same page with respect to medical
13 literature as well just so I don't get on even thinner ice with
14 you.

15 **THE COURT:** Okay. So on the issue of dose response --
16 first of all, by the way speaking of thin ice, can I have a
17 copy of both of the slides for both sides' openings? Do
18 you-all have that handy? Can you hand up a copy of your
19 slides?

20 **MS. WAGSTAFF:** I don't have it printed out --

21 **THE COURT:** That's not -- that can't be true.

22 **MS. WAGSTAFF:** Well, the version -- I pulled slides
23 out based on what you talked with Ms. Moore about, so --

24 **THE COURT:** I will take that.

25 **MS. WAGSTAFF:** -- this isn't what I used.

RITZ - DIRECT / WAGSTAFF

1 **THE COURT:** Okay. That's fine. That is your full
2 version that you were planning on using? That's fine. I will
3 take that.

4 **MS. WAGSTAFF:** Well, I feel this will be held against
5 me.

6 **THE COURT:** That's okay. I will take that.

7 **MS. MOORE:** Your Honor, we can have a clean version.

8 **THE COURT:** No. That's okay. I will take that one.

9 **MS. WAGSTAFF:** I have notes in here, so can I take
10 those out?

11 **THE COURT:** On slides?

12 **MS. WAGSTAFF:** No. These are --

13 **THE COURT:** Yeah, take out your notes. Sure. Thank
14 you.

15 **MS. WAGSTAFF:** Can I just review it one more time?
16 (Whereupon, a brief pause was had.)

17 **MS. WAGSTAFF:** And I ran out of color ink halfway
18 through printing it.

19 **THE COURT:** That's fine.

20 **MS. WAGSTAFF:** This copy that I'm handing you includes
21 the RFA that we had talked about before, so obviously that
22 wasn't shown to the jury.

23 Also I took out an *Eriksson/McDuffie* slide when you get to
24 the specific causation portion that I didn't show to the jury.

25 **THE COURT:** Okay.

1 **MS. WAGSTAFF:** And in my exposure slide, there is a
2 bullet point in what I just handed you that discusses warnings
3 and whether or not Mr. Hardeman followed those warnings and
4 labels, which I took out as well. I deleted based on your
5 conversation with Ms. Moore prior to my openings statement as
6 well.

7 **THE COURT:** Okay. I will ask by the way, Kristen, if
8 you can contact GSA and ask them to fix that clock. Get it
9 tied to the iPhone.

10 Okay. There was just -- while it is on my mind before I
11 forget, there was a photo of Mr. Hardeman and his family that
12 you described as a photo that was designed to show the jury the
13 property. It was not designed to show the jury the property.
14 It was designed to show Mr. Hardeman's family.

15 So that's -- I'm not allowing that photo to come in in
16 Phase One.

17 Okay. Now, let's just talk about the dose response issue
18 for Dr. Ritz and any other -- anything else you want to talk
19 about with respect to the articles, and I have a couple other
20 items; but I will put those off for now.

21 **MS. WAGSTAFF:** It is my understanding from talking
22 with Monsanto's attorneys that we have an agreement that we
23 will publish medical journals and articles to the jury but not
24 send them back into evidence; is that --

25 **THE COURT:** That's what you-all told me.

1 **MS. WAGSTAFF:** Okay.

2 **THE COURT:** And that I agreed to quite a while ago,
3 yeah.

4 **MS. WAGSTAFF:** Just before I did it, I wanted to make
5 sure we were all on the same page.

6 **MR. STEKLOFF:** No issue there, Your Honor.

7 **THE COURT:** Okay. So on the issue of dose response,
8 this is one -- I mean, I -- as I said, there are a number of
9 places where the Plaintiff -- or Ms. Wagstaff crossed the line
10 in opening statements, and it seems pretty clear that it was
11 intentional.

12 On the dose response issue, as I mentioned at sidebar, I'm
13 not sure I would put that in -- put the dose response issue in
14 that category because I think that is actually quite a
15 challenging issue, right, based on my rulings. And what I
16 ruled was -- that Dr. Ritz's testimony from -- from the general
17 causation phase, at least as I recall it, was that there is a
18 dose response -- that the literature shows a dose response.
19 And what I recall from Dr. Ritz's testimony is that she didn't
20 get behind any particular numbers. She didn't say if you use
21 Roundup more than ten times in your life, your -- your risk of
22 getting NHL will double. I don't recall you saying anything
23 like that.

24 **THE WITNESS:** No, I didn't.

25 **THE COURT:** So what I meant to convey -- what I

1 intended to convey in the specific causation order that I
2 issued yesterday is that that testimony -- that general
3 testimony that Dr. Ritz gave is permissible, and that she can
4 use *McDuffie* and *Eriksson* to make the general point that there
5 is evidence of a dose response.

6 But then when you get to the specific causation phase and
7 you have people like Dr. Nabhan and Dr. Weisenburger testifying
8 that they -- you can reach some sort of quantitative conclusion
9 based on those studies, that's not permissible. That crosses
10 over into the area of junk science.

11 So that's the basic parameter that has been established
12 not just for Dr. Nabhan and Dr. Weisenburger, but all of the
13 experts.

14 And so the question is: Are there any concerns about, you
15 know, types of testimony that would be close to the line that
16 we should resolve now? It seems to me, as I said, I believe
17 that the line was crossed during the opening statements. It is
18 not as clear that that was intentional as some of the other
19 stuff, but I -- it seems pretty clear that the line was crossed
20 during opening statements. So perhaps we have to have a
21 further discussion sort of defining that line.

22 **MR. KILARU:** Yeah, I think the slides did cause
23 concern in light of the rulings, Your Honor, because I believe
24 there were three slides, though I know one was sort of clicked
25 through in the earlier rulings; that showed that there is an

1 over 200 percent increase risk from the slides. I think one
2 was 236; one was 212, and I forget the third exactly -- 210,
3 I'm told -- that is the exact type of testimony that we think
4 crosses the line that was set forth in your order where you
5 said that no one really can testify if someone uses Roundup
6 more than two days or ten days, their risk of developing NHL
7 doubles. And I'm not really sure if there is any space between
8 those two things.

9 **THE COURT:** Well, but the reason it is a tricky issue
10 I think, is that there are numbers that emanate from the
11 *McDuffie* and *Eriksson* studies. And, I mean, we could have a
12 discussion about this. My intention when -- from the -- when I
13 wrote what I wrote in the specific causation order was not
14 necessarily to preclude the Plaintiffs from eliciting testimony
15 about the numbers that emanate from *McDuffie* and *Eriksson*. It
16 is just that they could not provide -- they could not offer an
17 opinion that those numbers stand for this sort of quantitative
18 proposition.

19 Again, that is a tricky line. I mean, maybe the answer is
20 that the numbers shouldn't come in at all; but my -- but what I
21 was -- what I was envisioning when I wrote that is that the
22 experts -- they can say what the numbers stand for. The
23 qualification has to be made about, you know, the fact that
24 these are unadjusted numbers and also the overall numbers of
25 the subjects in the studies are very low.

1 But -- and with those qualifications, you can say that,
2 you know, they are -- they are somewhat probative -- they are
3 probative of dose response without drawing any quantitative
4 conclusions. That is tricky.

5 I mean does that -- do you understand what I'm saying?

6 **THE WITNESS:** Absolutely. Absolutely.

7 **THE COURT:** So what -- how are you with that, I guess
8 I will ask.

9 **MR. KILARU:** I guess I would say we do have a concern
10 with the numbers -- not all the numbers, but *McDuffie* and
11 *Eriksson* being showed. I think these issues are all tied
12 together. As I think you said in the order, the numbers are
13 unadjusted. So if you present unadjusted numbers, whether you
14 describe them as a doubling of the risk or just show what they
15 say --

16 **THE COURT:** I never said either at general causation
17 or the specific causation stage that unadjusted numbers are
18 inadmissible. Now, I think there is probably a decent argument
19 for that.

20 **MR. KILARU:** Yeah.

21 **THE COURT:** But I ruled -- I didn't rule that they are
22 inadmissible. What I ruled is that they -- they can't be
23 relied upon to -- for -- by an expert to predict the -- how
24 much somebody like Mr. Hardeman has an increased risk if he
25 used glyphosate, a particular amount more than ten lifetime

1 days.

2 So I'm not prepared at this point to preclude an expert
3 from testifying to the numbers themselves. It is a bit of a
4 tricky line, I think. And, you know, it is one that everybody
5 is going to have to be paying attention to during trial, but I
6 do think -- just to make clear for the record, right -- the
7 stuff that was in the slide is not appropriate. That is -- of
8 course, that was not an expert opinion --

9 **MR. KILARU:** Right.

10 **THE COURT:** -- that Ms. Wagstaff was describing. That
11 was her own interpretation of the numbers, and that is not
12 appropriate. And it is not appropriate for an expert to offer
13 an opinion reflecting the content of those slides.

14 **MR. KILARU:** I do agree that it is a somewhat gray
15 area, as you said. I mean, the slides are clearly on one side.
16 Maybe the numbers -- you know our position on the numbers, at
17 least as I just articulated. Unadjusted numbers shouldn't be
18 admitted because they are unreliable, where we think it is
19 embodied in the order.

20 Where I think we might have some concerns about the
21 testimony is if you start to get into -- it is a hypothetical,
22 but what is the risk ratio in this piece? It is above 2. What
23 does 2 mean? Well, it means the risk is doubled. They are
24 basically presenting the exact same thing just without a
25 percentage number. That's where I think the line would

1 probably be crossed as well.

2 **THE COURT:** I'm not sure -- we could probably go
3 through 20 hypothetical questions and answers --

4 **MR. KILARU:** Right.

5 **THE COURT:** -- and I can issue rulings in advance.
6 Then there will be a 21st question asked and answered. I don't
7 think it is worth trying to do that in advance.

8 What I will say, however, is that there is a possibility
9 that, you know, a specific instruction regarding the use of
10 unadjusted numbers could be given to the jury. And I think the
11 chances of that happening increase the more the Plaintiffs
12 elicit testimony about the unadjusted numbers, and the more the
13 Plaintiffs attempt to get the jury to draw quantitative
14 conclusions about the unadjusted numbers.

15 So we will have to just kind of see how the evidence comes
16 in, but it may be that a limiting instruction of some sort is
17 appropriate.

18 **MR. KILARU:** That may make sense, Your Honor. I just
19 wanted you to know a general gist of how we are thinking about
20 this. I know there are many, many variables; but it sounds
21 like it might make sense to see how it comes in, and we would
22 be happy to prepare an instruction if we think a line has been
23 crossed.

24 **THE COURT:** Okay.

25 Anything from you, Ms. Wagstaff?

RITZ - DIRECT / WAGSTAFF

1 **MS. WAGSTAFF:** No, Your Honor.

2 **THE COURT:** Dr. Ritz, does that -- are you comfortable
3 with that?

4 **THE WITNESS:** Yes.

5 **THE COURT:** Okay. Great. So let me see. Was there
6 anything else I wanted to discuss with you right now?

7 Oh, what is the status of the stipulation -- there are a
8 bunch of potential stipulations floating around out there.
9 What is the status of the stipulation regarding expert
10 compensation?

11 **MR. KILARU:** I think we are willing to agree to what
12 Your Honor proposed. We might propose to switch the word "a
13 lot" for "substantial," but I think we are on the margins at
14 that point.

15 **MS. WAGSTAFF:** We actually haven't really discussed it
16 with each other.

17 **THE COURT:** There was some indication -- some
18 e-mail --

19 **MR. KILARU:** We both said we were --

20 **THE COURT:** There was some e-mail from someone on your
21 team --

22 **MS. WAGSTAFF:** I told you in the hearing that we were
23 okay, as long as the wording wasn't that they were each paid a
24 lot of money.

25 **THE COURT:** Okay. So -- but we have an expert on the

RITZ - DIRECT / WAGSTAFF

1 stand now. So why have we not figured that out, figured out
2 what -- how -- the extent to which people are going to be
3 questioned on their compensation?

4 **MS. WAGSTAFF:** I'm not going to question her on her
5 compensation, so it wasn't really a priority to me.

6 **THE COURT:** You need to -- you need to figure out
7 by -- how long do you think Dr. Ritz's direct will take?

8 **MS. WAGSTAFF:** Okay. Apparently in Australia they
9 told Mr. Wisner they would give us a copy. We can figure this
10 out probably in five minutes.

11 **THE COURT:** Why don't you do that?

12 **MS. WAGSTAFF:** Okay.

13 **THE COURT:** Good. We will see you-all at the actual
14 time of 12:40. Thank you.

15 You can step down.

16 **THE WITNESS:** Thank you.

17 **THE COURT:** And you can have -- I think I said this
18 before, but you-all should have your witnesses on the stand
19 when the -- when we are ready to bring the jury in so we don't
20 waste that extra time bringing the witness in when the jury is
21 already sitting there.

22 **MS. MOORE:** Thank you, Your Honor.

23 **THE CLERK:** Court is in recess.

24 (Luncheon recess was taken at 12:10 p.m.)
25

PROCEEDINGS

Afternoon Session

12:48 p.m.

(Proceedings were heard out of presence of the jury:)

MR. STEKLOFF: Your Honor, I just want you to -- for it to be clear. I have no problem with you having it, but the PowerPoint that I handed you included an appendix of slides that I did not use. I'm just hoping that Plaintiffs' counsel doesn't see them, but it doesn't bother me one way or the other if you have them.

THE COURT: I look forward to reading them.

MR. STEKLOFF: Second, Your Honor, I think we have reached a stipulation on the expert compensation, which would be to take your language but substitute "significant" for "a lot," but then add the phrase based on customary -- normal and customary rates. So that -- which would apply to both sides.

THE COURT: That's great. So are you going to want me at some point to read that stipulation to the jury?

MR. STEKLOFF: I think it -- my view is that it would make more sense for you to read it since it applies equally to both sides as opposed to having one side read it --

THE COURT: Okay. So at whatever point you get that stipulation to me and you file it, I will just read it -- I will probably read it to the jury at the beginning of tomorrow's testimony.

MS. MOORE: That is helpful, Your Honor. We will get that. We will send that over to them, and I think we have got

PROCEEDINGS

1 that done.

2 **THE COURT:** Great. One other thing I wanted to raise
3 with you now, even though it is not immediately relevant, is
4 that I -- you know, you were showing slides of deposition
5 testimony of the Plaintiff's experts. I understand your theory
6 behind that. My -- your theory being that the Plaintiff's
7 experts, when they were having their depositions taken, were
8 agents of the Plaintiff and, therefore, their deposition
9 testimony is admissible under Rule 80 -- 801(d)(2).

10 I do not believe that there is any binding Ninth Circuit
11 case law on that issue, whether an expert when their deposition
12 is being taken is acting as a -- as an agent of the party.
13 I believe that the -- that -- I believe that an expert is
14 not -- should not be deemed to act as an agent -- be acting as
15 an agent of the party during deposition testimony.

16 I think there is also a strong argument that they
17 shouldn't be deemed as acting as an agent of the party during
18 trial testimony, but regardless, I think there is a distinction
19 between the two, and so that's why I shut you down on that. If
20 you can point me to some binding authority that says to the
21 contrary and you want to cross-examine experts using their
22 deposition testimony, you can try to point me to that
23 authority.

24 I will also say I think it is a Rule 403 issue,
25 particularly in a case like this. I think that the trial --

PROCEEDINGS

1 there is a real risk of the trial becoming much more jumbled
2 and confusing if we use as a starting point the expert's
3 deposition testimony rather than the testimony the expert has
4 given at trial.

5 So I believe that for the experts, both because of my
6 interpretation of Rule 801(d)(2) and because of Rule 403,
7 I believe the way it should go is that you use an expert's
8 deposition testimony the same way you use any other witness'
9 testimony, which means you bring it in to impeach them and not
10 use it as part of your affirmative case or affirmatively as the
11 basis for your cross-examination. Like I said, if you want to
12 try to point me to some authority that is to the contrary, I'm
13 happy for you to consider that. But as of now, that is my
14 ruling.

15 **MR. STEKLOFF:** And I understand your ruling and don't
16 need to go look for authority, I think with the witnesses --
17 I'm fine using it, if necessary, as impeachment if they don't
18 agree to it.

19 **THE COURT:** And on the issue of impeachment, I always
20 assume that lawyers know how to use prior deposition testimony
21 for impeachment purposes, and 90 percent of the time I find
22 myself having -- in the middle of trial having to teach the
23 lawyers how to use prior deposition testimony for impeachment
24 purposes, to my surprise.

25 So if you wish to impeach a witness with deposition

PROCEEDINGS

1 testimony, whether it is an expert or some other witness, you
2 have to have a transcript of the deposition ready to hand up to
3 me. You do not immediately start asking them questions about
4 their deposition testimony or the content of their deposition
5 testimony.

6 You simply say, "Your Honor, I would like permission to
7 read pages 17, line 6 through 18, line 10," and then pause.
8 You give it to me. Give me the deposition testimony. I look
9 at it. Opposing counsel has an opportunity to object or to
10 request that for completeness in addition you read page 27,
11 line 32 through 36.

12 And then I will rule on whether you can read the proposed
13 deposition testimony and whether you must also read for
14 completeness the deposition testimony that the opposing side
15 has identified. Then you can read it, and then if you want --
16 although most good lawyers don't -- if you want, you can ask
17 further questions of the witness about whether their prior --
18 how their prior deposition testimony squares with their current
19 testimony.

20 But in any event, that is the process for impeaching
21 witnesses with prior deposition testimony.

22 **MS. MOORE:** Thank you. Your Honor, understood.

23 **MR. STEKLOFF:** While we are on the subject, just for
24 20 seconds, what is your rule during trial about contact with
25 expert witnesses in the middle of testimony, either once they

RITZ - DIRECT / WAGSTAFF

1 have been passed for cross or before or after?

2 **THE COURT:** If you-all have sort of a stipulation
3 about that, about how -- not a stipulation but agreement about
4 how that should go, that's fine. Otherwise, I'm happy to hear
5 discussion of it.

6 **MR. STEKLOFF:** Okay.

7 **MS. MOORE:** We have not discussed it, Your Honor.

8 **THE COURT:** Okay.

9 **MS. WAGSTAFF:** Thank you, Your Honor.

10 **THE COURT:** Okay. Are we ready to call the jury back
11 in?

12 **MS. WAGSTAFF:** We have just agreed on an objection,
13 you will be happy to know. Let me just let my tech person know
14 something I want to redact. If you can just indulge me for
15 just a second.

16 **THE COURT:** Okay. How long will that take? Can we
17 start bringing the jury in?

18 **MS. WAGSTAFF:** Yes.

19 (Proceedings were heard in the presence of the jury:)

20 **THE COURT:** Welcome back. You can resume with
21 Dr. Ritz.

22 **BY MS. WAGSTAFF**

23 **Q.** Good afternoon, Dr. Ritz.

24 **A.** Good afternoon.

25 **Q.** I hope you had some time to get some food.

1 So we spent the morning with you -- do you need any water?

2 **A.** It's fine.

3 **Q.** Okay. We spent the morning with you going over your
4 qualifications and talking about your journal publications and
5 sort of describing what a medical literature is. So before we
6 get into the nuts and bolts of your actual decision, I would
7 like to say prior to coming to trial today, explain to the
8 jury -- ladies and gentlemen of the jury, what you reviewed in
9 forming your opinion in this case.

10 **A.** Right. So I did what I usually do. When I have to form
11 an opinion, I go to the literature. I read what is there.
12 Peer-reviewed literature, the papers that we will talk about,
13 but I usually also go a little broader than the epidemiology
14 literature, which is where I'm the expert.

15 I also like to read something about animal studies because
16 I'm a medical doctor. I'm a scientist. I work with people who
17 do animal studies, so I want to know what our little furry
18 friends tell us, right, because we test on them a lot of
19 things; and I also try to form an opinion whether there is a
20 biological way that actually all of this could happen. And
21 that's what we call mechanistic data or toxicologic data; that
22 is it actually possible, is there enough getting into the body,
23 what is the body doing with the chemical, where does it end up,
24 what organ does it damage. So I have done all of that.

25 And then, of course, I also read the reports by the EPA,

1 the Environmental Protection Agency. I read the reports by the
2 International Agency on Research of Cancer and all of those
3 also formed opinions, but really my -- my -- I have to say I
4 like to form my own opinion. So I really have to go and make
5 myself comfortable with what is out there to form that opinion,
6 and that's what I did. I did everything so I'm comfortable
7 with my opinion as a scientist.

8 Q. All right. I didn't mean to cut you off.

9 A. Sorry.

10 Q. So based on your review of the epidemiological literature,
11 the animal literature and the cell data studies and your
12 experience in education as an environmental epidemiologist,
13 have you formed an opinion within a reasonable degree of
14 medical certainty whether or not Roundup is capable of causing
15 NHL?

16 A. Yes, I do.

17 Q. And what -- can you tell the ladies and gentlemen of the
18 jury what opinion it is that you hold?

19 A. Well, I absolutely think that Roundup is capable of
20 causing NHL in humans in the way it has been used.

21 Q. All right. Excellent.

22 So now, I would like to get actually to the nuts and bolts
23 of your opinion. So please tell the ladies and gentlemen of
24 the jury what a risk factor is.

25 A. So a risk factor --

1 Q. Risk ratio, sorry.

2 A. Risk ratio, good.

3 These risk ratios, you will see a lot of, and my students
4 hate them too. But they are making our lives easier because
5 once you understand what they are saying, it is actually -- it
6 gives you a very good idea of what is going on in a study, and
7 it is what I tried to explain this morning, where you have the
8 group of people that was exposed to something, and then you are
9 seeing who comes down with cancer and what is the number among
10 everybody exposed, how many come down with a cancer? That is a
11 ratio, but not yet a risk ratio. That is a risk -- a ratio
12 measure.

13 Let's say ten out of a thousand workers come down with
14 that disease, and then you have another thousand workers you
15 also look at, and they have not been exposed to this chemical.
16 And among them you count five, right, five cases. So you have
17 ten over a thousand, and then divided by five over a thousand.
18 So that's ten over five, gives you two. That is a risk ratio.
19 Basically that's all we do.

20 In studies where it is an odds ratio, it is a little more
21 complicated because we are starting with cases and then we are
22 starting with non-cases, and then we look how much exposure was
23 the cases and how much exposure was there non-cases and was
24 there more exposure; but it is the same kind of ratio.

25 So in the end, these ratios tell us, yes, the cases were

1 more exposed than the non-cases, or among the non-exposed --
2 among the exposed, there is more cancer than in the exposed.
3 Since it is a ratio measure, you can tell 10 over 5 is 2.
4 That's bigger than one. If the number of cases will be the
5 same, you would get 10 over 10. That's a 1.

6 So when we talk about null effects, it is actually not
7 null because it is a ratio. It has to be 1. So we are always
8 very concerned about that number being greater than 1 because
9 it indicates there are more cases in the exposed than the
10 unexposed. Okay.

11 If that ratio measure goes below 1, do you now have an
12 intuition of what happens? What happens is you have less
13 people among the exposed than the unexposed; right? That is
14 the only way how that ratio can go below 1.

15 That means what you are giving these people is actually
16 helpful. It protects them from cancer because the ones who
17 didn't get it have more. So when we see an estimate -- we call
18 it an estimate -- fall below 1, then we think it is protected.
19 So if we have a toxin we are evaluating, then we have to be
20 worried about is that really true? Can that be, that a toxin
21 prevents cancer; right?

22 And that's constantly the kind of question that you have
23 to carry around when you see all these estimates, these ratios
24 above 1. Then all of them tell you, okay, there is a greater
25 risk. If they all kind of fall around the 1, then maybe there

1 is a random fluctuation, but generally that is no effect;
2 right? But if they all fall or most of them fall below the 1,
3 then there is protection.

4 If you really don't think that agent can protect you,
5 something must be wrong with what you are doing. Maybe you
6 have been miscoding; right? That is the first thing I ask my
7 students. Did you code this right? Did you reverse the
8 exposure, the coding for exposure that you call the
9 exposed/unexposed, and the other way around? It happens.
10 Believe me it happens. This is how we evaluate in these
11 scientific studies what causes cancer, what causes disease,
12 underexposure compared to not being exposed.

13 **Q.** All right. And is there a significant risk ratio?

14 **A.** Yeah. There is a principle in statistical science that is
15 called significance testing or significance of an estimate. So
16 these risk ratios I just described, they are called estimates.
17 And as I told you, you can have all these estimates on one
18 side, on the other side; right? You can also have them
19 fluctuate around the null, which is one. And when they start
20 fluctuating around, that gives you a hint, hmm, one study is
21 above; one is below. What is wrong?

22 And what often is wrong is the study was so small that
23 adding one case or subtracting another from one of the other
24 group makes these ratios flip.

25 So in essence significance helps us evaluate how much

1 random fluctuation is there between -- when I come up with
2 these estimates. When I calculate this ratio, how much would
3 there be randomness that generated this one estimate, and how
4 certain can I be that that estimate is really what -- what I
5 should take for the truth, or maybe that estimate should be
6 closer to the 1 or further away from the 1. But it is random
7 because, you know, something happened that I didn't find one
8 case. Something happened that somebody miscoded an exposure.
9 And these things happen. We are all human. We are all doing
10 real-world studies in real human beings, so mistakes happen;
11 right? Random mistakes is what we are trying to guard
12 ourselves against by saying absolutely. This is a 20 percent
13 or a twofold risk increase. No. We are putting these bounds
14 around it and saying in this range the estimate must be.

15 **Q.** Okay. And is statistical significance the only way to
16 consider whether or not chance played a role in the risk ratio?

17 **A.** Actually, it is absolutely not the only way; and it is
18 probably the worst way you can look at it because the
19 statistical significance testing just asks you does -- can
20 chance be completely eliminated or not, according to the rule
21 that I set up, which is usually a 5 percent of the testing
22 rule, and that's an arbitrary rule.

23 And it is also a rule that may or may not help you because
24 you are not trying to make a decision whether there is a yes/no
25 answer in one study. What you are trying to figure out is what

1 is the information in my study telling me overall. So -- and
2 there is a lot more information and data that significance
3 testing would ever allow you to use. So I like to tell my
4 students we need to use all the information we have.

5 Significance testing is out. We are looking at all of the
6 data. We are looking at what is called a confidence interval.

7 So that confidence interval --

8 Q. Let me stop you right there because I would like you to
9 turn to page -- binder 892, which -- I should have a binder for
10 you.

11 A. 892?

12 Q. Can you please tell us -- it is double sided. It is two
13 pages. If you can please tell us what this is and whether or
14 not it would be helpful in explaining your opinion on
15 confidence intervals to the jury.

16 THE COURT: Here it is. It is out of order.

17 MS. WAGSTAFF: It is out of order.

18 THE COURT: It is after 903.

19 A. Which one are we looking at?

20 BY MS. WAGSTAFF

21 Q. 892. If you can please tell the Court what those --

22 A. These?

23 Q. Yeah, just what those are. And if it would be helpful for
24 you to show those to the jury to explain your opinion.

25 A. Yes. This is just a visual representation of what I just

1 waved my hands --

2 **THE COURT:** I think Ms. Wagstaff will publish that to
3 the jury, so --

4 **THE WITNESS:** Yes.

5 **THE COURT:** -- you don't need to hold that up to them.
6 You can just describe it.

7 **THE WITNESS:** Okay.

8 **MS. WAGSTAFF:** Permission to publish, Your Honor.

9 **MS. MATTHEWS:** No objection.

10 **THE COURT:** All right. Go ahead.

11 **BY MS. WAGSTAFF**

12 **Q.** Let's start with the first page -- who is controlling
13 this -- thank you.

14 **A.** So this is a simple graphic. You see my red line is what
15 we call a null effect, the 1; right? The number of cases in
16 the exposed is exactly the same as the number of cases in the
17 unexposed, and this shows 1. That is the one line.

18 And then you conduct a study and you find well, my
19 relative risk is actually 1.5. You say 1.5 is above 1, so
20 there is a 50 percent increase in cases. So instead of 10 in
21 the unexposed, I have 15 in the exposed. That's how I get my
22 1.5. 15 divided by 10; right? I get the 1.5. Okay. I know
23 now there is a 50 percent increase in cancer risk.

24 Well, not so fast because we also know that a small study
25 might find this 15 over 10; but if I had had a bigger study,

1 and I could have looked at 150 exposed over -- or found 150
2 exposed cases over 100 unexposed, then actually I would be more
3 certain that there is a 50 percent increase. And if I had
4 1,500 and a thousand, I would be even more certain.

5 So with every increase in my numbers of exposed cases over
6 unexposed, my confidence increases that I have the right
7 estimate, right; that it is not just one case that flip-flopped
8 where I made a mistake, where somebody entered the wrong data.
9 So in order for us to visualize what my data came from, that
10 1.5, whether it is 15 over 10 or 1,500 over a thousand, right,
11 we are putting these confidence intervals around the 1 --
12 around the estimate.

13 So this represents how much information we have in the
14 study to rule out random error and nothing else, only random
15 error. Making a mistake randomly, not systematically.
16 Randomly.

17 So what it shows is that most of my information tells me
18 it should be a 1.5, but you see that this little bell curve
19 there -- that is the density of information, how much
20 information I have -- it goes -- that lower ends goes across
21 the 1. So there is a slight chance that actually the true
22 estimate -- if I would repeat the study over and over again --
23 would be below 1; but there is only a 2.5 percent chance that
24 that would ever happen, okay.

25 However, if I asked my students is this a statistically

1 significant result, well, in 97.5 percent of the time if I
2 repeated this, I would get an estimate above 1, but in
3 2.5 percent of the time I wouldn't. So statistically speaking
4 it is not significant although most of the information tells me
5 there should be an effect, but my study wasn't big enough.
6 Sorry.

7 So according to the rules of statistical significance
8 testing, I'm not allowed to say it is statistically
9 significant. That doesn't mean it is not medically
10 significant. It is significant in any other ways. It is just
11 not statistically significant according to those rules.

12 So what represents what I said much better are these
13 whiskers. You have the dot in the middle, and you have the
14 whiskers, and you can see how far these whiskers go out and
15 whether they cross the red line. And if they cross the red
16 line, you now know it is not statistically significant; but it
17 doesn't mean there is no effect. That's all.

18 **Q.** All right. Have you taught this concept of using
19 confidence intervals to help you rule out chance to your
20 students at UCLA?

21 **A.** Absolutely.

22 **Q.** If you can turn to binder Number 908 -- and I -- is this
23 a -- is this a chart that came out of peer-reviewed literature?

24 **A.** The chart -- the chart -- I made up the slide, but the
25 chart on it comes from the peer-reviewed literature, yes.

1 Q. Okay. And the -- if you will turn to page -- to binder
2 Number 912, which is the Stang article?

3 A. Right.

4 Q. Is this is the article where this chart came from?

5 A. Yes.

6 Q. Is this an accurate representation of that article in this
7 chart?

8 A. Yes.

9 Q. And will using this chart help you explain your opinion to
10 the jury?

11 A. Yes.

12 MS. WAGSTAFF: Permission to publish to the jury.

13 MS. MATTHEWS: No objection.

14 BY MS. WAGSTAFF

15 Q. If I could ask, will it help for you to come down and
16 write on this board?

17 A. Probably, yeah.

18 MS. WAGSTAFF: Permission, Your Honor.

19 THE COURT: Yes.

20 Dr. Ritz, make sure to speak up because the court reporter
21 needs to get your voice.

22 THE WITNESS: So this is actually a slide I have used
23 in my classroom a few weeks ago. I really apologize to you
24 that I spring this on you without all the other stuff that
25 comes before.

1 When I show it to my students, it is a slide show so this
2 doesn't appear yet. All they see is this side of the slide,
3 and the title which says "The Ongoing Tyranny of Statistical
4 Significance Testing in Biomedical Research," and it is
5 published by colleagues that I know quite well including
6 Charlie Poole, who is a very well-respected methodologist and
7 has been writing about this his whole career.

8 So what they are trying to say is we should not just use
9 one tool. When we have a nail, you know, we need a hammer, but
10 we can also -- there are many kinds of hammers and many kinds
11 of tools, and statistical significance testing is just one who
12 wants to encourage students to do more, right, to be better, to
13 involve all the information that we can gain into their
14 decision-making.

15 So if I -- when I show this to my students, I show them
16 this slide first and you see here it says -- my line isn't in
17 red, but that should be the red line; right? Then we have this
18 dot. That is my point estimate. It says incidence break
19 ratio. It is a ratio measure. It is twofold, meaning we had
20 10 over 5 subjects in the exposed over the unexposed that came
21 down with the disease. That's what that says. I have my 2
22 here.

23 Then I have told you we have a confidence interval. How
24 confident am I that this is a twofold increase and not just
25 random because, you know, I miscounted, make mistakes,

1 whatever. So here is my confidence interval. It goes from .9
2 to 4.2. That is pretty wide; right? And that's reflected in
3 here. And most important for the people who love statistical
4 significance testing, it crosses the magic line of 1.

5 It doesn't cross it too much. It ends up here, given that
6 it could go all the way to null; right? But it goes from .9 to
7 4.2. And I could say, Well, it might be a twofold risk
8 increase, but there is uncertainty. There is random error, and
9 I can truly not tell whether that study should be taken
10 serious; right? Maybe it was just too small and too much
11 random error. They didn't measure well enough. That is
12 another way of getting random error. They didn't measure
13 right. They didn't measure the exposure right or the disease
14 right and everything. So there was a mistake.

15 I would stay at this and then say -- in most studies when
16 I write papers, I would say, This is an indication that
17 possibly something is wrong, but now I have to achieve --
18 actually go to work. I need a larger study or I need other
19 studies to convince myself there is something; I'm not right.
20 Then I'm showing this.

21 This says prior studies. I didn't have to go out there
22 and do more studies. All I had to do was actually read the
23 literature, which I tell my students before you say, I'm going
24 to go get something for the next study, go and read the
25 literature.

1 So this person now read the literature and found studies
2 that actually assessed the same association, pesticides and
3 NHL, smoking and lung cancer, whatever it is; right? And these
4 are all the prior studies, and they came up with different
5 estimates. Not one of them really came up with exactly the
6 same point estimate.

7 So their risk ratio is from this largest one, probably 3.2
8 to down there, very close to 1; right? And if you had only
9 done this study, I would have said there is nothing. If you
10 had only done this study, everybody would have agreed this is
11 statistically significant because this is above 1. This is a
12 big effect. It is almost fourfold; right? Haha, there is
13 something there.

14 Do you see now how you have to put things in context? You
15 now have one, two, three, four, five, six, seven, eight, nine
16 studies; and then you have your little study here with the 2.
17 And now you are doing something in your head already that
18 people, scientists, have to do. They have to go beyond what
19 they can do themselves and put it in the context of the
20 literature and what we already know.

21 And when I show this to my student and say, Do you believe
22 this twofold now more or less? I think all these dots are
23 above 1. There are some studies that don't have enough
24 information to say it is statistically significant. It is this
25 study, this study, this study. These do, but then overall,

RITZ - DIRECT / WAGSTAFF

1 look at the pattern; right? It is all above 1.

2 So, overall, if I were to put all of this information
3 together -- and that's what we call a meta-analysis or a pooled
4 analysis -- I pool all the information of these studies -- and
5 then I probably would get a nice estimate somewhere in between
6 all of these, and that estimate would be fairly close to 2, and
7 that prior knowledge -- we call this prior knowledge from what
8 has already been done in the literature, et cetera -- would
9 then give me a idea of how to interpret this estimate. And I
10 would not go and say, Oh, you know, we see something but there
11 is probably nothing because it is not statistically
12 significant.

13 No. I would say, My little study here confirms what other
14 studies have shown, and actually adds to the amount of
15 information we now have out there, right?

16 We now have a lot more information than any one of these
17 studies could have given me. I would not have been certain
18 with this study or with this study or with this study. What we
19 do is we put them all together and say in the context of all of
20 what we have done, Do I believe that estimate is above 1 and it
21 is not just chance that did it.

22 **BY MS. WAGSTAFF**

23 **Q.** Thank you. You can have a seat.

24 Dr. Ritz, can you explain to the ladies and gentlemen of
25 the jury, please, a difference between a never-ever analysis

1 and a dose response analysis, to include the strengths and
2 weaknesses of both, please?

3 **A.** Right. So when I do my exposure assessment, which is
4 what -- you know, the most important part of my work, we want
5 to know not only have you ever used this agent, but we want to
6 know when have you used it, how much have you used it, for how
7 many years have you used it, how have you used it, did you
8 protect yourself while you have been using it, did you spill
9 the stuff on you, were you given bathroom access like the
10 workers in the Central Valley to wash the stuff off if you
11 spilled it? What happened; right?

12 And all of that information then goes into how much I
13 think that person actually got exposed. And if you don't do
14 that, you would be doing something like you ask a smoker, Are
15 you a smoker? And he says, Yes and that's it. He is a smoker.

16 But you could also say, Well, how many cigarettes have you
17 ever smoked? And the answer could be, You know, when I went
18 into the military, I tried it for a month, and, you know, it
19 didn't become me and then I stopped. But the question, Have
20 you ever smoked, would have been yes. So you classify somebody
21 who smoked -- tried smoking for a month as a smoker.

22 And then you have your neighbor who you have seen smoking
23 every single day on the balcony.

24 **THE COURT:** Dr. Ritz, there is an objection.

25 **MS. MATTHEWS:** Objection based on prior rules.

1 **THE COURT:** Overruled.

2 **THE WITNESS:** So you have your neighbor and you ask
3 him the same question, Have you ever smoked? And he said,
4 Yeah, and you leave it at that and you call him a smoker.

5 Then you ask yet another person whether or not they ever
6 smoked. You would not know whether that person has stopped
7 smoking when they were pregnant, smoked maybe one cigarette a
8 day, or tried to keep it within five cigarettes a day, or has
9 actually a three-pack habit that he sustained for 40 years;
10 right? It is that simple.

11 So when you say never-ever, you are saying a smoker is a
12 smoker is a smoker no matter what they answer to how much, how
13 often, how long have you done this. So dose response
14 actually -- my colleagues who do -- who did the early smoking
15 studies were really smarter the way they did it. They asked
16 all these questions.

17 They didn't just say, Well, are you a smoker or not? They
18 asked all the questions I just told you. And then they said,
19 How can we summarize this? And they came up with something
20 called "pack years."

21 So they asked people, How many packs a day do you smoke?
22 And then, How many years have you smoked? And then they
23 multiply that and you get a pack year. So you have a lifetime
24 pack year exposure, and then they look at, Okay. If I have 5
25 pack years, 10 pack years, 20 pack years, 40 pack years, what

1 is the risk of lung cancer?

2 And the general rule is that if you see that the risk
3 increases with dose -- and what I just told you, the pack years
4 are considered a dose -- then you believe that there is
5 probably a higher chance that what you are seeing is not
6 random, is not just, you know, some mistake, because with dose
7 comes the poison; right? The more you get, the more -- the
8 higher your risk is that you actually come down with the
9 disease. And that's what we call a dose response. And
10 whenever we can, we actually do that.

11 Whenever we have the information, we are trying to tease
12 out what is the dose. And when we can't do that, we at least
13 are trying to figure out who is the most highly exposed, and
14 who is just an occasional user who maybe I should call
15 unexposed or treat like the people who never touched a
16 cigarette, right, because they are closer to them than to the
17 people who used a lot.

18 **BY MS. WAGSTAFF**

19 **Q.** All right. Thank you.

20 If you can turn to Exhibit Tab 904, please, in your
21 binder. Tell me when you are there.

22 **A.** Yes.

23 **Q.** Dr. Ritz, did you participate in making this chart?

24 **A.** Yes.

25 **Q.** All right. Dr. Ritz, is this a chart that summarizes some

1 of the epidemiological literature that you reviewed in forming
2 your opinion in this case?

3 **A.** Yes, it does.

4 **Q.** Dr. Ritz, would it be helpful for you to show the jury
5 this demonstrative in expressing your opinion to them?

6 **A.** Yes.

7 **MS. WAGSTAFF:** Permission to publish.

8 **MS. MATTHEWS:** No objection.

9 **THE COURT:** Go ahead.

10 **MS. WAGSTAFF:** I actually have a demonstrative,
11 Your Honor. May I publish the demonstrative?

12 **THE COURT:** Of course. You mean it is the replication
13 of this?

14 **MS. WAGSTAFF:** It is a complete replication. However,
15 I'm going to write on this one.

16 **BY MS. WAGSTAFF**

17 **Q.** Dr. Ritz, could you please explain to the ladies and
18 gentlemen of the jury the categories of -- just orient them to
19 this chart to include what the names in parentheses are, what
20 the type means, the size and the exposed cases, if you can
21 orient them, please.

22 **A.** Yes. So this is a complicated chart that will give us a
23 little bit of an inside overview of the human data from what we
24 call the epidemiologic studies -- so those are the studies that
25 I do -- have provided to us. And under study you see where the

1 study was done, like in Sweden or in Canada; who conducted the
2 study. That is in brackets. You see *Hardell* et al. That is
3 the name of the first author, and the et al. tells you there is
4 more than one author. You know, there is usually a list. And
5 then the year the study was published.

6 Then under Type you see what kind of study design we call
7 that was used, and there are mainly two study designs. One is
8 where it start from the cases, and I select non-cases from the
9 population; and I ask them all these questions about exposure.
10 So we are going from somebody who is diagnosed backwards in
11 time asking about exposures, and we are doing that also for
12 people who didn't get the disease; and then we compare what the
13 exposures were in those who did and didn't get the disease in
14 order to find that bad actor, right, whatever gave up group of
15 people, that group of people who became cases, the disease. So
16 that is called a case control study, and that's what is listed
17 mostly on there.

18 And we also call them population based. That means they
19 are -- every case that occurred in a whole geographic area.
20 So, for example, in all of Sweden or in providences of Sweden
21 or in Canada. And then the size -- under Size you see the
22 number of cases they identified. So in this case, it would all
23 be NHL cases; right?

24 And then under -- the next number refers to the controls.
25 So we have control subjects meaning the people who did not get

1 the disease.

2 And then we have Findings and you see nothing. There is
3 nothing there yet. So we will walk you through what the
4 findings are.

5 And what is also important in all of these studies is not
6 only how many cases do we have, but how many exposed cases do
7 we have; so how many people actually had the exposure that we
8 are interested in identifying, in this case glyphosate or
9 glyphosate-based compounds.

10 Q. All right. And I think you mentioned that this table of
11 literature refers to epidemiological literature that has
12 Roundup and non-Hodgkin's lymphoma; is that right?

13 A. Right.

14 Q. All right.

15 A. Uh-huh.

16 Q. So I probably should have put this on there, but let's
17 just make that clear.

18 Okay. So let's just walk through each of these briefly.
19 If you could turn to Binder Number 443.

20 And, Mr. Wolf, if you could pull up the Hardell 1993, 443,
21 please.

22 And, Dr. Ritz, if you could tell the jury, please give a
23 little bit of context and background about the Hardell case.

24 A. Right. So here we have that Swedish study by two authors,
25 Lennart Hardell and Mikael Eriksson, who used the resources of

1 the Swedish Public Health System, which includes a Cancer
2 Registry, to identify cases of non-Hodgkin's lymphoma in
3 Northern Sweden.

4 And Northern Sweden is very woodsy and they are using in
5 forestry and in agriculture herbicides, and one of the
6 herbicides was a Roundup-like product.

7 And what they did is they identified all of these
8 non-Hodgkin's lymphomas. As soon as they're diagnosed, they
9 get into that registry, and that's like the California Cancer
10 Registry, only the Swedes had it for longer.

11 And so for a certain amount of years in the end '80s,
12 early '90s, he identified these 400 cases; and then since in
13 Sweden they also have a population register, meaning every
14 resident is registered in the system, they can randomly select
15 from that registry noncases of the same age, the same sex, who
16 live in the same province, and that's what they did.

17 And then they went out and asked them all these questions
18 about: Who are you? What have you done in your life? You
19 know, what kind of jobs did you have? What kind of chemicals
20 did you use?

21 And this is -- Northern Sweden is very rural. If you know
22 Sweden, the major cities it's Stockholm and then in the south,
23 so this is really rural Sweden.

24 Q. Okay. And, Mr. Wolf, if you could pull up Table 1 and
25 please highlight the row related to glyphosate.

1 And, Dr. Ritz, just to confirm, you relied on all of these
2 studies in forming your opinion; correct?

3 A. Yes.

4 Q. Okay. So let's just go through the findings. And if you
5 could please just tell me the risk ratio and the confidence
6 interval, please.

7 A. So here we have a table that looks at the herbicide use,
8 the insecticide, and fungicide use, but for us of interest it's
9 glyphosate. So we can highlight glyphosate and we get the
10 number of exposed cases and controls, and we get -- and then,
11 you know, we go through our mass here and we get our
12 odds ratio/risk ratio of 2.3. So that's like that ratio
13 measure that gives you 2.3.

14 But as I told you, don't take that at face value. You
15 want to know more. You want to know these whiskers; right?
16 How wide are they? Is this just random? Especially since it's
17 only four exposed cases.

18 So your intuition probably tells you it should be wide,
19 you're right. It's wide. It's .42 -- and now I can't read it.
20 9.9?

21 Q. .4 to what?

22 A. I think it's 9.9, but I can't read it really well. Let me
23 go to this.

24 (Witness examines document.) Oh, boy. It's as bad in
25 here. I think it's 9.9.

1 Q. Okay. We'll put 9.9 question mark.

2 All right. And the jury heard a little bit about adjusted
3 and unadjusted risk factors in the opening statements.

4 A. Right.

5 Q. And so I'd like you to please explain if these numbers --
6 if this 2.3 risk ratio was adjusted or unadjusted and what that
7 means, and then I'll ask you to tell the significance of that
8 on your opinion.

9 A. Yes. So so far all we have done is worry about random
10 error, but there's something that actually is just as bad and
11 that's called systematic error. So -- and it is what the word
12 says. It has a system to it, meaning it draws that estimate to
13 one or the other side. It's systematically overestimating or
14 underestimating.

15 And the way that works is it generates a bias, and we have
16 factors that may generate these biases and we need to concern
17 ourselves with this bias.

18 This morning I told you we can't go back in time.
19 Instead, what we're doing is trying to find a group of people
20 who is as similar to the people who are exposed except for the
21 exposure. Right?

22 But we have to check whether that's actually the case or
23 were they actually dissimilar in terms of other things.

24 "Dissimilar" meaning are they all older than the people who
25 were exposed? Am I comparing women to men? And there might be

1 a difference in disease risk in women and men. Are they of
2 different races, of different ethnicities and, therefore, they
3 have a different chance of getting sick? Right? Or have they
4 done different jobs that also expose them to something else?

5 So what we're most worried about are usually these
6 factors, like, sex and race and ethnicity; and in Sweden they
7 didn't have to worry about ethnicity. In the northern Swedish
8 parts, they are all pretty white so they didn't worry about
9 that; but they definitely matched, which means made the
10 comparison group as similar as they could in terms of sex and
11 age.

12 So that is actually adjusted for. "Adjustment" means
13 nothing but making similar and making sure that the comparison
14 group is actually similar to the group that you want to say
15 something about, which are the people who have the exposure.
16 Right?

17 So that estimate we call unadjusted but only unadjusted
18 for having used a different type of pesticide. Okay? They are
19 adjusted for other risk factors, such as sex and age. But
20 let's call it unadjusted.

21 Q. Okay. And, Dr. Ritz, I had my tech guy pull up the
22 cleaner copy of this, and would you agree or would you have any
23 reason to disagree that the outer boundaries are 13?

24 MS. MATTHEWS JOHNSON: Objection.

25 THE COURT: Sustained.

RITZ - DIRECT / WAGSTAFF

1 **MS. WAGSTAFF:** Okay. All right.

2 **Q.** Well, we'll just leave your 9.9 then.

3 **A.** I didn't bring my glasses.

4 **Q.** Okay. So you said this was unadjusted. Is this the
5 only --

6 **THE COURT:** So you can disregard that prior question
7 because I sustained the objection, Dr. Ritz.

8 **BY MS. WAGSTAFF:**

9 **Q.** Yeah. Right. That means -- okay.

10 **A.** (Witness examines document.) Yeah, I can't see it.

11 **Q.** Okay.

12 **THE COURT:** That's okay. I sustained the objection so
13 you can disregard the question. Wait for the next one.

14 **THE WITNESS:** Yes. Okay.

15 **BY MS. WAGSTAFF:**

16 **Q.** So is this the only data that you were able to pull out of
17 the Hardell 1999 study?

18 **A.** No. Actually they did go ahead and said: Well, you know,
19 we don't have many exposed -- glyphosate-exposed cases and they
20 did that also for other pesticides but, you know, since people
21 are using multiple pesticides, and in 1999 when this was
22 published we aren't really sure which pesticide might be
23 causing the cancer so we should probably make sure that the
24 un -- what we call unexposed group is really comparable also
25 with respect to having not other types of exposure.

1 So the people you call exposed to glyphosate and compare
2 them to those not exposed to glyphosate, could it be that
3 everybody who was not exposed to glyphosate is actually using
4 2,4-D? And if they are, could 2,4-D then have given them the
5 cancer?

6 And that would mean I wouldn't see anything; right? I
7 wouldn't see an effect because I'm now comparing exposed to
8 exposed only it's two different pesticides; right?

9 And we're worried about that. We're also worried about
10 something like, okay, I call these people exposed to glyphosate
11 but maybe they also were exposed to 2,4-D, and I compare them
12 to the unexposed and they were all really unexposed. Neither
13 2,4-D nor glyphosate; right?

14 So my 2.3 risk ratio there tells me not just something
15 about glyphosate, it tells me something about glyphosate and
16 2,4-D because these people were co-exposed. They had all the
17 exposures; right? So I shouldn't be saying it's glyphosate.
18 It could be glyphosate and 2,4-D or 2,4-D. I just can't say;
19 right?

20 So in order to come up with an opinion about that, I'm now
21 adjusting for other pesticides, meaning I'm generating a
22 statistical model where I put the information about whether or
23 not these people also used other pesticides into that model,
24 and that's what we are calling adjusting. Okay?

25 And when they adjust it, and they tell you that in the

1 text on page 1357, they generated an odds ratio of 5.8 with a
2 confidence interval of .6 to 54. You can see how our
3 confidence interval completely exploded; right? It's much
4 wider now. That's what we expect. Unfortunately, that's what
5 happens. The more factors you are trying to take into account
6 in your modeling, the more you are widening the possible random
7 error; the possibility that, you know, something went wrong and
8 estimates might be not as stable. We call it not as stable.

9 But what you also see here, that adjusting for other
10 pesticides, that estimate went from 2.3 to 5.8. That's an
11 element sixfold risk increase. But I would not tell you to
12 take this study serious and say glyphosate will cause a sixfold
13 increase in NHL because of that large confidence interval and
14 the small number of cases they were able to use.

15 Q. And, Dr. Ritz, what does this "NR" mean?

16 A. That means that they didn't tell me in the text where they
17 told me what the odds ratio is how many cases were in that
18 analysis that were exposed, but I presume that they had all
19 four cases in there.

20 Q. Okay. And you just gave the jury a description of what a
21 confounder is and described how to adjust for a confounder.

22 A. Right.

23 Q. How do you know if something is a confounder that you
24 should adjust for?

25 A. Right. So at the very beginning of the game when we're

1 trying to figure out what is what and what causes cancer and
2 what doesn't -- so find the bad actor; right? -- unless you
3 think everything causes cancer -- we don't think that -- you
4 actually have a very hard time identifying whether or not you
5 should believe the estimate 2.3 or the estimate 5.8.

6 And the reason for that is that this systematic bias where
7 the estimate is drawn to one side or the other side of the
8 null, the 1, has rules to it, and the rules are that factor
9 that is a systematically biasing factor actually has to be a
10 risk factor for the outcome, has to be a risk factor for NHL.

11 If I don't know whether pesticides are a risk factor for
12 NHL, how would I know that? Right? So what we are doing is
13 playing these games putting adjusting and not adjusting and
14 saying, "Hmm, what's happening if I do?" But honestly that's
15 playing a game. What you really want to know is: Is this
16 other pesticide a *bone fide* carcinogen? Then I worry about it.

17 I know that age is a risk factor for the outcome. I know
18 that when I look at lung cancer, smoking is a risk factor for
19 the outcome. In a lung cancer study, I want to adjust for
20 smoking; right? It's a risk factor for the outcome.

21 But here very little is known about these insecticides and
22 pesticides. We are in 1999. Not many studies have been done.
23 Almost none; right? So we're just guessing. We are guessing,
24 "Oh, maybe I should put 2,4-D in the model. Oh, maybe I should
25 put Dicamba in the model. Oh, maybe I should put creosote in

1 the model." Right?

2 But we don't really know whether that's a good idea or not
3 because we have no determination that that agent that I'm also
4 throwing into my model should be thrown in because I may
5 actually generate bias instead of taking it out.

6 And so between the 2.3 and the 5.8, I don't know which is
7 the truth, I really don't, because we at this point in time of
8 Hardell, if it's not a risk factor for NHL, it should have been
9 kept out of the model. That's what I know; right?

10 Q. All right. And is this the end of the Hardell story?

11 A. No. They actually realized that they did not have enough
12 data to say anything about most of the pesticides they were
13 interested in, though they said, "Well, let's do a little bit
14 of what I explained to you before, do a better job and do a
15 better -- a larger study." So they were actually able to add
16 cases and also noncases, controls, into their study; and they
17 then published those results in 2002, I guess. Right? I lost
18 my --

19 Q. And, Dr. Ritz, can I hand you this copy? I just want to
20 go back to the previous study. It's a more legible copy.

21 I can show counsel if you'd like to take a look at this.
22 I'm just going to show her a more legible copy.

23 You were saying that you had a hard time with the 9.9
24 so --

25 A. Oh, yes. Let me see.

1 Q. -- the outer bound.

2 A. It was actually 13.

3 Q. 13. Okay.

4 A. Yeah.

5 Q. So maybe on a break I'll change that 9.9 to a 13.

6 A. Yes.

7 Q. I was starting to smudge it a bit.

8 All right. So if you could publish, please, Mr. Wolf, the
9 Hardell 2002, which is Binder Number 499; and if you could pull
10 up, Mr. Wolf, Table 1, please.

11 And, Dr. Ritz, if you could explain the second part of
12 Hardell to the jury, please.

13 A. So this is the same group of authors. They were a little
14 disappointed that their study wasn't more informative. They
15 added cases and they added controls, and by doing so they are
16 increasing their statistical power; right? So they now have
17 more cases; and not only do they have more cases, but they also
18 have more exposed cases. So they're pretty much in this case
19 doubling the number of exposed cases to eight.

20 Q. Okay. And let's talk about what the Hardell-2 found. If
21 you could look at Table 1.

22 Yes, Mr. Wolf, if you could highlight the glyphosate.

23 And please explain to the jury what the Hardell-2 found.

24 A. So here we now have eight glyphosate-exposed cases. That
25 risk ratio is 3.04. So right between those two estimates I

1 showed you before, right between 2.3 and 5.8, and look the
2 magic. I did what I said we need to do to make something
3 statistically significant; right? It happened. So our
4 confidence interval is now 1.08 to 8.52.

5 Q. Okay. And are these numbers adjusted or unadjusted for
6 other pesticides?

7 A. They're not adjusted for other pesticides.

8 Q. Okay. And did Hardell-2 give us any other data?

9 A. So the first thing I want to say here, this is a trick
10 where you would say -- where people who only use statistical
11 testing would say the first study is a null study, meaning
12 there's no significance in glyphosate causing NHL. All we have
13 done is add cases and controls in the second study, and we get
14 exactly the same -- a similar effect size, 3 instead of 2.3 or
15 5.8; but because those whiskers shortened -- right? -- they
16 pulled in, they pulled across the 1, which is even more
17 important, they now can claim we have a statistically
18 significant result for glyphosate causing NHL.

19 I think both studies tell the same story. It's just that
20 in the first study you couldn't completely rule out random
21 error. Okay?

22 Q. All right. If you could pull up Table 7, please,
23 Mr. Wolf.

24 And we're introducing yet another set of terms here, the
25 univariate and the multivariate. Can you please explain to the

1 jury what those mean?

2 **A.** So that's just a different term for saying I'm adjusting.
3 Univariate means I only have one pesticide in the model; multi,
4 multiple, I have multiple pesticides in the model. So the
5 multivariate model actually throws then these other pesticides
6 that people may have been exposed to into the model. So it's
7 an adjusted estimate.

8 **Q.** All right. And can you tell me what the adjusted numbers
9 were, please, for Hardell-2?

10 **A.** They are 1.85 with a confidence interval of .55 to 6.2 and
11 that's adjusted.

12 **Q.** Okay. And was there another set of numbers from Hardell
13 or --

14 **A.** No. That's pretty much it.

15 **Q.** Okay.

16 **A.** So what happened here -- different from the first Hardell,
17 we're actually throwing in other pesticides -- increased my
18 estimate to 5.8. Now throwing in other pesticides,
19 co-exposures to other pesticides reduced my estimate from 3 to
20 1.85.

21 However, when you look at the pattern of all the
22 estimates, it tells you there's an 85 percent to sixfold
23 increase in risk depending on what estimate you want to
24 believe. However, you can see that the 1.85 now, the whiskers,
25 are broader again and they're crossing the 1.

1 So, again, our adjusted estimate has added random error.
2 It doesn't tell you about bias. It just tells you there's more
3 random error. And as I told you, that happens every time you
4 throw another variable into a model. You're generating more
5 random error so these whiskers go out again. And in this case
6 they crossed the 1; right?

7 So somebody who believes in statistical testing would say,
8 "Ha, you adjusted for other pesticides, you have a null result.
9 There's nothing there."

10 Well, if you look at the effect estimate, it's 1.85.
11 That's pretty impressive still. That's 85 percent risk
12 increase. And in the context of everything I know about this
13 study, that's not the same as saying the estimate is 1; right?

14 Q. Okay. Let's go back, then. Let's skip back up to
15 McDuffie.

16 So is this the end of the Hardell story?

17 A. Yes.

18 Q. Okay. So let's go back to McDuffie.

19 And, Mr. Wolf, if you could please publish the McDuffie
20 study, which is Binder Number 447. And if you could please
21 pull up Table 2.

22 **THE COURT:** Before we go to McDuffie, I think maybe
23 this would be the time to take a five-minute afternoon break.

24 **MS. WAGSTAFF:** Sure.

25 **THE WITNESS:** Thank you.

RITZ - DIRECT / WAGSTAFF

1 **THE COURT:** Why don't we break for about five minutes.
2 We'll resume at what is, according to that clock -- did it get
3 switched? Did it get fixed?

4 **THE CLERK:** Not yet.

5 **THE COURT:** So five minutes to -- we'll resume at five
6 minutes to 2:00 --

7 **MS. WAGSTAFF:** Great.

8 **THE COURT:** -- which I think on your clock is --

9 **MS. WAGSTAFF:** I'm not leaving.

10 **THE COURT:** -- ten minutes to 2:00 or 2:00. I can't
11 remember. Ten minutes to 2:00. No. 2:00. We'll resume at
12 2:00 according to your phones, and we will get that clock fixed
13 by tomorrow.

14 (Proceedings were heard out of the presence of the jury:)

15 **THE COURT:** I'm totally confused about what time it is
16 but, anyway, I'll see you in five minutes.

17 **MS. WAGSTAFF:** Okay. Thank you, Your Honor.

18 **THE CLERK:** Court is in recess.

19 (Recess taken at 1:51 p.m.)

20 (Proceedings resumed at 1:59 p.m.)

21 (Proceedings were heard in the presence of the jury:)

22 **THE COURT:** Okay. You can resume.

23 **BY MS. WAGSTAFF:**

24 **Q.** All right. Dr. Ritz, pursuant to my questions about --
25 oh, wow. This is a little -- sorry for turning my back on you

RITZ - DIRECT / WAGSTAFF

1 when I'm writing. This is a little harder than I thought, but
2 I was going to change the 9.9 --

3 **THE CLERK:** Hold on. Stop. Timeout. We're missing
4 somebody.

5 **THE COURT:** We're missing a juror.

6 **MS. WAGSTAFF:** Good catch. I'll just keep erasing.

7 (Pause in proceedings.)

8 **THE COURT:** I'm pretty confident that was my fault.
9 Sorry about that.

10 Okay. You can resume.

11 **BY MS. WAGSTAFF:**

12 **Q.** All right. Dr. Ritz, prior to our break, I had showed you
13 a new copy or a cleaner copy of the Hardell, and you had
14 realized that it was actually 13 instead of 9.9.

15 **A.** Correct.

16 **Q.** So I did my best to erase that, and I'm going to fill it
17 in with 13. And that was unadjusted; correct?

18 **A.** (Nods head.)

19 **Q.** Okay. I just wanted to have accurate numbers on there.
20 All right. So now if we could turn to the McDuffie study.

21 **A.** Yeah. So McDuffie, Helene.

22 **MS. WAGSTAFF:** Can we publish that, please, Ms. Melen?

23 **THE CLERK:** Yes.

24 **MS. WAGSTAFF:** Mr. Wolf?

25 All right. And if we could pull up Table 2.

1 Q. Okay. Doctor, sorry.

2 A. Yeah. So this is a Canadian study, and it's conducted by
3 the Agricultural Medicine Center of Saskatchewan together with
4 the Canadian National Cancer Institute. So these folks were
5 interested, as we are, in finding out whether in agriculture
6 the exposures such as pesticides that may be causing cancer.

7 So they do the same thing as our Swedish colleagues did.
8 They used the Canadian Cancer Registry, and they pull out --
9 how many? I can't see it now -- 500 and -- no. How many
10 cases? 515? I don't have my slide up.

11 Q. Dr. Ritz, this chart is Number 903 in your binder. If you
12 want to pull that out so you can --

13 A. Yes, that's good.

14 Q. As you're flipping the cases, that may help. If you just
15 unclip your binder and pull out 904.

16 A. Oh, yes.

17 So in Canada we have now 517 cases assembled in the same
18 way as the Swedes did, but they have 1500 control subjects,
19 meaning people who don't have NHL. So all 517 cases have NHL.

20 And they were drawn from actually six provinces in Canada,
21 and they were mostly agricultural provinces. They didn't want
22 the big metropolitan centers, and most of these people turned
23 out to be -- almost half of them turned out to be farmers all
24 been living on farms. So we have a heavily farming population
25 again just like in Sweden where we had Northern Sweden, which

1 was mostly farming.

2 And they also conducted what's called a population-based
3 study because not only could they find the cancer cases in the
4 registry, they could also then go to population registries in
5 Canada and identify people of the same age and the same
6 provinces, the same sex, and then approach them and say, "Would
7 you mind being part of a cancer study?" That's how they do it.
8 And 1506 were enrolled and gave them that information.

9 Q. Okay. So there were two types of analyses done in
10 McDuffie; right?

11 A. Uh-huh.

12 Q. Okay. Let's talk about the one that yielded 51
13 non-Hodgkin's lymphoma cases. Can you tell us -- can you tell
14 the jury, please, the results from that study and what that
15 was?

16 A. Right. So we have Table Number 2 here and they are
17 showing us all of the results that they got for asking about
18 different herbicides, and one of the herbicides they asked
19 about was actually glyphosate and in brackets they say it's
20 Roundup, and there are 51 exposed subjects. So many more than
21 we had in Sweden. Meaning in Canada that use was much more
22 widespread.

23 And they compare it to the number of people -- the percent
24 of people among the controls, and you can see that their
25 relative risk odds ratio that you see under -- is it being

1 highlighted now? -- the A one and the B one is 1.26 and 1.2 and
2 we're using the 1.2 because that's the one that has more
3 adjustments. Meaning the first one was just adjusted for age
4 and sex and province of residence, and then the second one they
5 also put a lot of medical risk factors and family risk factors
6 into the model.

7 So they're co-adjusting for family risk factors and
8 medical risk factors such as different viral infections,
9 et cetera, but they're not co-adjusting for pesticides. Right?
10 They're just doing one pesticide at a time here. That's why we
11 still call this unadjusted.

12 And in this case the estimate is 1.2, which tells us
13 20 percent increase of NHL among those who were exposed to
14 glyphosate. And our whiskers, we draw them out in this
15 confidence interval, they go across the 1; right? Not
16 statistically significant. They go from .83 to 1.74. So we
17 have something on the right side of the null, but we don't have
18 a significant result.

19 Q. Okay. And then McDuffie broke that 51 down into two
20 groups.

21 A. Right.

22 Q. And, Mr. Wolf, if you could turn to Table 8.

23 And, Dr. Ritz, if you could explain to the jury what's
24 going on in Table 8 and the significance of the data?

25 A. Right. So we talked about dose-response before and

1 calling somebody who smoked for one month in his lifetime a
2 smoker or calling somebody who smoked for 40 years, three packs
3 a day, a smoker, and calling them the same, a smoker; right?
4 And maybe that's not the right thing to do.

5 So in this questionnaire data that they collected, they
6 did a similar thing. They said, "Well, have you ever used
7 these pesticides? Yes or no." And then they went on and said,
8 "Well, if you have used it, how many hours a day have you used
9 it and how many days have you used it per year?" And --

10 **MS. MATTHEWS JOHNSON:** I apologize. I just have one
11 objection for the record. I'm not sure the witness said --

12 **THE REPORTER:** I'm sorry. I can't hear you,
13 Ms. Matthews Johnson.

14 **MS. WAGSTAFF:** She said we talked about dose.

15 **THE WITNESS:** Response.

16 **MS. WAGSTAFF:** Yeah, response.

17 **THE WITNESS:** Did I say --

18 **THE COURT:** Overruled.

19 **BY MS. WAGSTAFF:**

20 **Q.** Keep going. Sorry.

21 **A.** So basically what they're saying here is: Well, we have
22 several categories of people in my study. Some people who
23 clearly never touched glyphosate. Let's call them unexposed.

24 But now we have a group of people who said, "Yeah, I used
25 glyphosate." But when we then went and asked them how much did

1 you use; how many hours a day; you know, did you use multiple
2 days a year; then we actually have people who report, "Ah, I
3 used it for one day or maybe two days last summer, but never
4 again." And then people who said, "Yeah, I used it three days
5 for the last 10 days -- years or 30 days for the last 10
6 years," and we are calling them all the same glyphosate
7 exposed. That's that estimate 1.2, every glyphosate exposed.
8 Okay?

9 And so they're splitting it up and they're splitting it up
10 in a way where, you know, nobody knows. With smoking we know,
11 okay, maybe five cigarettes a day starts being a problem.
12 Maybe one isn't. But here we know nothing.

13 So we only have statistical tools, and they use a
14 statistical tool saying, "Well, let's have -- let's form
15 subgroups," but we need to still have people exposed in the
16 subgroups or else, you know, we can't estimate anything when
17 nobody's exposed, when nobody's in that group.

18 So what they did is they called people that said, "Yes, I
19 used, but used no more than one or two days per year," and
20 called them low exposed or whatever they called them, more than
21 zero and less than or equal to two days per year. And then
22 they estimated just in that subgroup, and that was a subgroup
23 of 28 exposed NHL cases, and we have a 1.0 and the confidence
24 interval is .63 to 1.57.

25 Q. 1.57?

1 **A.** Yes.

2 **Q.** All right.

3 **A.** Okay. And so clearly in the group of people who have very
4 little exposure on that measure, meaning one or two days a
5 year, that's it. There's no effect. We are hitting the 1.
6 That's so unusual, we should send them a card. I've rarely
7 ever seen that. So it's 1. No risk increase.

8 But now -- now look what happens when you're going to the
9 people who used it more than two days a year, which could be
10 anywhere between 3 days, 10 days, 100 days.

11 **Q.** And so, Dr. Ritz, these two estimates for the one to two
12 days and over two days are also unadjusted for --

13 **A.** For other pesticides, yes.

14 **Q.** So I want to be clear on that.

15 **A.** So we haven't done that.

16 **Q.** All right. So please give us the data for over two days a
17 year.

18 **A.** That's a 2.12.

19 **Q.** 2.12.

20 **A.** Right. And the confidence interval is 1.20 to 3.73.

21 Still unadjusted for other pesticides, but it's adjusted for
22 what I told you, which is age, sex, province, and medical risk
23 factors. That's already a lot.

24 **Q.** All right. And is this finding statistically significant?

25 **A.** You guys would know now; right? It is because the

1 lower -- the lower number is above 1 --

2 Q. Okay.

3 A. -- of that confidence interval. So this is clearly
4 statistically significant, but I don't care about that. What I
5 care about is the pattern I see.

6 The pattern I see is, yeah, there's no risk increase if
7 you use glyphosate for a day or two; but look at what happens
8 when you're using it regularly, more than two days a year.
9 That's where all of the risk is, and it's more than twofold and
10 it's statistically significant but still unadjusted for other
11 pesticides.

12 Q. Okay. Let's move on to the next case.

13 And, Mr. Wolf, if you could pull up De Roos 2003, which is
14 451 in your binder. And if you could go to Table 3, please.

15 And, Dr. Ritz, if you could tell the jury a little bit
16 about De Roos 2003.

17 A. Right. So this is really a beautifully done study by a
18 colleague who at the time was at the National Cancer Institute
19 of the U.S., and actually I think four of the co-authors,
20 including Dr. Blair and Cantor and Zahm, they all were at the
21 National Cancer Institute; and this study is a compilation, a
22 pooling of other studies, of three previous studies done in the
23 U.S.

24 Because we kind of tricked you here a little bit. We
25 started with the Swedish study, but actually the earliest

1 studies ever done on pesticides and cancer were in the U.S.,
2 and they were done by these colleagues and they were small
3 studies, small. And remember the problem with small. Random
4 error. You can't really say much. So all of them had maybe we
5 see something but maybe we can't really base our decisions on
6 those.

7 So by the time they had the third study done, this
8 young -- this young epidemiologist came along, Anneclaire
9 De Roos, said, "Ah, I have this beautiful data sitting out
10 there on the computer. Why don't we pool it? Why don't we try
11 to actually bring all this data together; and once we have
12 brought it together see what it tells us? And that's what she
13 did.

14 So she used data from Nebraska, Kansas, Minnesota, and
15 Iowa. And guess why they did the studies there? Rural; right?
16 Lots of rural communities, farming communities, again lots of
17 pesticide use.

18 **Q.** All right. So why don't you tell the jury, please, what
19 De Roos 2003 found about the glyphosate that's in Table 3?

20 **A.** Right. And so in this pooled study, they listed every
21 pesticide that was ever looked at in one of the three studies
22 of the four states, and in that Table 3 they published a result
23 on glyphosate that's based on 36 exposed cases and 61 exposed
24 controls, and that ratio measure that we always talk about is
25 2.1 with a confidence interval of 1.1 to 4.0. And that's

1 exactly the same ratio measure we've been looking at all the
2 time here.

3 And here we are actually allowed to call it adjusted. We
4 can say A. And not because it's adjusted for sex, age, and
5 state and maybe some other factors, but because it's also
6 adjusted for all the other pesticides, and those are 47. Okay?
7 It's co-adjusted for every other pesticide.

8 And you can tell what happened here -- you can't tell
9 because I didn't give you the original studies where they took
10 all the data from, but in the original studies the confidence
11 interval whiskers would have been really wide and included the
12 1; right? Because we weren't sure it was random error.

13 Here where she has a lot more cases, she has 650 cases and
14 almost 2,000 controls, she was able to do this beautiful
15 analysis where she threw everything and the kitchen sink, we
16 call that, into the model and the effect for glyphosate on NHL
17 did not go away. It's 2.1 and we would call it statistically
18 significant.

19 **Q.** Okay. So if you could turn to -- if you could pull up
20 actually the same study.

21 It looks like we have a new analysis in this case, which
22 is the hierarchical regression versus the logistical
23 regression. So we have two sets of data from this case.

24 **A.** Right.

25 **Q.** Was this the logistical regression?

1 **A.** Yes. And the logistical regression is the same modeling
2 that was done in the other studies.

3 **Q.** So the logistical regression is what we have been talking
4 about. We just have never mentioned it by name.

5 **A.** Right.

6 **Q.** Can you tell the jury what the hierarchical regression is?

7 **A.** Hierarchical regression? I told you this was a young,
8 very ambitious researcher who came to the NCI with a lot of
9 abilities in analysis, and she had just learned this great new
10 tool hierarchical regression. And what that allows her to do
11 is actually use contextual information and add it to her data.

12 Meaning I can now say, well, if I presume -- I'm testing
13 47 chemicals here. I throw them all in one model. I let the
14 model tell me whether there's an increased risk for any one of
15 them, but I had not made a hypothesis that one or the other
16 should be causing NHL.

17 But I do know something about NHL because in the meantime,
18 this is in 2003, there are actually all these other studies and
19 there is an EPA evaluation, but there's not -- nothing else I
20 think from IARC yet, but we have a little bit more of a sense
21 which of these chemicals should actually be bad actors.

22 And she said, "Well, let me use what we know." Right?
23 And how did she do that? She gave weights to these estimates
24 that are in this table. And so the weight she gave to
25 glyphosate was a downweighing of the evidence because no

1 previous studies and no evaluation had called it carcinogen.

2 So in 2003, glyphosate was not considered a carcinogen so
3 she said, "My prior knowledge, what I believe because of
4 science and what we know now in 2003, glyphosate shouldn't be a
5 carcinogen. So my estimate of 2.1 may be an overestimate."

6 Right? "I'm actually calling something a carcinogen I
7 shouldn't be calling a carcinogen, so I'm downweighing this."
8 And then she comes up with the hierarchical estimate of 1.6.

9 Q. Okay. And what's the confidence interval for that
10 regression?

11 A. .9 to 2.8.

12 Q. Okay. And I just want to -- and this was adjusted or
13 unadjusted?

14 A. Adjusted.

15 Q. Okay. For the same 47 chemicals?

16 A. That's what the hierarchical regression does, yeah.

17 Q. Oh, okay.

18 And so you told -- you just told the jury that there were
19 assumptions made in the hierarchical regression.

20 A. Right.

21 Q. And those assumptions were based on previous
22 determinations, and I think you mentioned EPA and IARC.

23 A. Right. And IARC hadn't made one.

24 Q. Okay. And has -- if IARC has ruled on a chemical within
25 that model, what effect does that have on this analysis?

1 **A.** So the weight she gave the 2.1 was .3, meaning there's
2 only 30 percent chance that this is really true. If she would
3 use the IARC evaluation from 2015, according to what she said
4 in this assessment --

5 **MS. MATTHEWS JOHNSON:** Objection, Your Honor.

6 **THE COURT:** Overruled.

7 **THE WITNESS:** -- in this weighing --

8 **THE COURT:** Overruled.

9 You can answer.

10 **THE WITNESS:** Sorry.

11 -- it would have been either a .9 or a .8. So meaning
12 that 2.1 would have been pretty much 2.1 because what she's
13 doing is she's saying, "I want to correct. I want to correct
14 my data-driven estimate with what I believe and know from
15 everything else in the world we know so far. So if it hasn't
16 been classified as a carcinogen, then I'm not as certain that
17 really the 2.1 is true and I should downweigh that and not
18 alarm people."

19 That's why we do this. We are very careful as scientists.
20 We want -- we don't want to cry wolf. Nobody will believe us
21 anymore; right?

22 So what she did here is she downweighed her own data with
23 a weight that draws it closer to the 1 saying "Ah, we may have
24 overestimated." And that weight was .3 and it was based on the
25 knowledge of 2003.

1 **BY MS. WAGSTAFF:**

2 **Q.** Okay. So in 2003, IARC, you're telling the jury, has not
3 ruled on the glyphosate chemical at that point?

4 **A.** No.

5 **Q.** Today has IARC ruled on the glyphosate chemical?

6 **MS. MATTHEWS JOHNSON:** Objection. Cumulative.

7 **THE COURT:** Overruled.

8 **THE WITNESS:** Yes.

9 **BY MS. WAGSTAFF:**

10 **Q.** And what was IARC's ruling on glyphosate?

11 **A.** It's a 2A probable carcinogen.

12 **Q.** Okay. And in your opinion, based on your knowledge and
13 experience of environmental epidemiology, redoing -- should
14 this number be redone based on the fact that IARC has now ruled
15 on glyphosate?

16 **A.** Absolutely, because the weight should change and that
17 estimate would change.

18 **Q.** Okay. And does this -- when you redid it, would it drive
19 the risk ratio up or down?

20 **A.** It would go towards the 2.1. Be almost 2.1, maybe 2.

21 **Q.** Okay. And so you just mentioned the word "carcinogen."
22 Can you tell the ladies and gentlemen of the jury what a
23 carcinogen is?

24 **A.** Well, the definition for "carcinogen" is an agent that can
25 cause cancer, and actually the IARC classification was based on

1 NHL for glyphosate.

2 **MS. WAGSTAFF:** Okay. And as far as timing,
3 Your Honor, I know that you're mindful of the jury's time, it
4 might be good if I could just get through three more studies
5 and finish and then finish up in the morning. I don't know
6 what your schedule --

7 **THE COURT:** Well, keep going. We'll see how things
8 are going.

9 **MS. WAGSTAFF:** Okay. Excellent.

10 **Q.** All right. Let's talk about the next study, which is
11 Eriksson, which is on page 4 -- or Binder 452.

12 If you could pull that up, Mr. Wolf, and turn to Table 2,
13 please.

14 All right. Dr. Ritz, if you could tell the ladies and
15 gentlemen of the jury, please, about the Eriksson study.

16 **A.** So this is another Swedish study, but it's done much later
17 than the first study, and it's done in other parts of Sweden.
18 They are now also including more of Southern Sweden.

19 And otherwise they're doing exactly the same kind of
20 study. It's a case control study, but they're now more
21 conscientious about having to actually assemble a lot of cases
22 so it's almost a thousand cases, 910, and as many controls and
23 they're going out there again in the same way asking people
24 about their work exposures.

25 **Q.** Okay. And if you look at Table 2, please, and if you

1 highlight glyphosate, can you explain to the jury, please, what
2 those three rows tell you and give me data to write on the
3 board?

4 **A.** Right. So they learned their lesson they need a lot of
5 cases in order to look at exposures, and you can see that
6 instead of 4 and 8, they now have 29 exposed cases. And in
7 those -- with those 29 exposed cases and 18 exposed controls,
8 they estimate a relative risk of 2.02 and the confidence
9 interval is 1.10 to 3.71.

10 So this is a new study, new cases that arrived later in
11 time. More of them were exposed, which makes a lot of sense
12 because glyphosate use increased. Right? So we now have
13 actually a lot more data to base our opinion on, and we see
14 again a twofold risk increase and we would call this
15 statistically significant because it excludes the 1; right?
16 It's on that side of the 1, 1.1.

17 **Q.** Okay. And was this data adjusted or unadjusted?

18 **A.** It's unadjusted for other pesticides but adjusted for age,
19 sex, and year of diagnosis and enrollment.

20 **Q.** So we're going to call it unadjusted because we're just
21 worried about pesticides.

22 **A.** Right.

23 **Q.** And so is there any other data that you found relevant
24 with respect to this study?

25 **A.** Yes. So they must have read the McDuffie study and said,

1 "Well, what they can do, we can do. So let's actually now
2 distinguish between occasional users and regular users" --
3 right? -- "people who use it a lot."

4 And in their data that was a 10-day difference. Before we
5 had a one- to two-day, more than two days. Here they said --
6 and, I mean, it makes sense -- right? -- because we're now
7 using more glyphosate, and so more people used for more days.
8 And here it's below and above 10 days, and that splits their
9 exposed group nicely into two, which is, again, a nice
10 statistical property, that's what we want, and we're getting
11 now risk ratios of 1.69.

12 Q. Is this for the zero to 10 days?

13 A. Yes.

14 Q. Okay. 1.69?

15 A. Right.

16 Q. Okay.

17 A. And the next one is -- oh, the confidence interval is .7
18 to 4.07. So I don't have --

19 Q. .7 to what?

20 A. .7 to 4.07.

21 Q. Okay.

22 A. And I don't have yet the statistical power to say this is
23 significant, but it's definitely above 1, the point estimate,
24 1.69. And then we have the one that's more than 10 days and we
25 have a 2.36 with a confidence interval of 1.04 to 5.37.

1 Q. Okay. And, Dr. Ritz, these are all unadjusted numbers;
2 correct?

3 A. Yes.

4 Q. And so is this a dose analysis just like the McDuffie
5 study?

6 A. That's what they are attempting to do here. They are
7 trying to say there are unexposed people, there are people who
8 are occasional users and exposed, and they're the ones who are
9 using a lot.

10 And as you can see, the risk is different if you're using
11 a little bit, maybe 69 percent risk increase but we can't say.
12 The confidence interval is wide; right? But definitely the
13 ones using more than 10 days, they are more than twofold risk
14 increased.

15 Q. Okay. And so if you could actually, Mr. Wolf, turn to
16 Table 7.

17 And here it looks like the Eriksson scientists also did a
18 multivariate and a univariate analysis, and I want you to
19 explain to the jury why that's not actually a new concept.

20 A. Right. So this is -- I think we had that before, that we
21 had a univariate and a multivariate. Univariate again says,
22 "You know, I'm testing one factor, one pesticide at a time."
23 Multi, "We have multiple pesticides we are testing." So we are
24 co-adjusting for other use. We are making these comparison
25 groups more similar in terms of all the other pesticides. We

1 only are interested in them being glyphosate differently
2 exposed.

3 And in that -- and that multivariate adjusted estimate is
4 1.51 with a confidence interval of .77 to 2.94.

5 Q. All right. And is it fair to say that is an adjusted
6 analysis?

7 A. Yes.

8 Q. Okay.

9 A. But, remember, that's an analysis of ever/never. We are
10 not looking at people who have more than 10 days versus less
11 than 10 days. This is everybody's called a user.

12 Q. Okay. And, actually, Mr. Wolf, if you could pull back to
13 page 1659 and to the top of -- right above Table 2.

14 And, Dr. Ritz, if you could turn your binder to page 1659
15 and tell us what that area of the study means to you.

16 A. So -- so these authors also do something differently that
17 is a good way of looking at your data from a different
18 perspective to gain even more information about whether it
19 matters when you were exposed and not just whether you were
20 exposed and how much you were exposed.

21 And these analyses we call latency analysis. So what --
22 basically what they're doing here is saying, "Okay. It's not
23 only important whether you were one day or 10 days exposed or
24 more, but when those 10 days were. Are those 10 days per year
25 or whatever they were" -- right? -- "or they were within the

1 last 10 years before you got diagnosed with NHL or was that
2 actually before?"

3 And that's what they're estimating here. They're saying,
4 "Let's just look at the time 10 years or more prior to
5 diagnosis or within that 10-year period until you were
6 diagnosed and see what we see there."

7 Q. Okay. And what did the scientists see when they did that
8 analysis?

9 A. They saw that with a latency of more than 10 years -- so
10 the exposure didn't happen in the last 10 years right before
11 you were diagnosed but 10 years earlier -- that odds ratio was
12 2.26.

13 Q. 2.26. Okay. I'm going to have to write it a little
14 differently because I'm running out of room.

15 A. Right. And the confidence interval is 1.16 to 4.40.

16 Q. 4.40?

17 A. Yes. So, again, it means if you were exposed 10 days or
18 more in the past, then your risk is more than twofold, and in
19 this case statistically significant.

20 Q. I think you meant to say 10 years.

21 A. More than 10 years in the past.

22 Q. Okay. I just wanted to make sure there was --

23 A. Yes. Not in the last 10 years prior to diagnosis but even
24 earlier.

25 Q. Okay. Let's look at the next case, Doctor, which is Orsi.

1 **A.** Uh-huh.

2 **Q.** I don't have the binder number written down for some
3 reason.

4 **A.** I got it.

5 **Q.** It's Binder Number --

6 **A.** 898.

7 **Q.** -- 898.

8 And if you could tell the jury, please, a little bit about
9 this study.

10 **A.** So now we're going to France and we know that French
11 people like wine, and they have a lot of cheese and agriculture
12 and they have the same problems we have here. They're using
13 pesticides and insecticides to save their crops -- right? --
14 and herbicides to get rid of weeds and they have cancer.

15 They don't have, I think, a National Cancer registry, at
16 least they're not using it here. What they're doing is they go
17 to hospitals and they now go to hospitals within big cities,
18 the biggest cities in France, including Bordeaux, which is a
19 wine region, and Lyon, which is another wine region, and some
20 others, and they are -- everybody who comes in with NHL, they
21 try to enroll in their study, take blood, and ask them what
22 their occupation was and what kind of pesticides they used.

23 But we need the control group; right? So we need people
24 who didn't have NHL and then we want to compare: Well, is what
25 the people with NHL did different from those who didn't --

1 right? -- didn't get it?

2 And so they go to other parts of the hospital and enroll
3 other patients and say, "Well, you don't have NHL, you have
4 something else and different diseases. Tell me what you are.
5 And, you know, were you a farmer? Have you used a pesticide?"

6 And that's what we call a hospital-based case control
7 study. It's not what we've seen before where we went into
8 the -- from the population register we selected people. And
9 the American study also they actually went into the population
10 and asked people to participate. This is simply patients.
11 Anybody who comes to the hospital and doesn't have NHL is now
12 allowed to enroll as a control subject. They have other
13 diseases.

14 So the question we have when we do these kind of studies
15 is: Is that a good comparison group? Because if the pesticide
16 may have also caused these other diseases, what do I do? I
17 generate a bias. We call that a selection bias because if the
18 pesticide brings you to the hospital, then you cannot determine
19 whether NHL was, you know, more -- people with NHL were more
20 exposed than those who didn't get it because the others just
21 got something else. Right? I'm not saying that that happened,
22 but we're worried about this when we do these kind of studies,
23 and that's why we call them hospital-based.

24 And that's actually the type of study that has given the
25 study design a slightly bad name because we never know whether

1 the other patients really are a good comparison group. And
2 it's also a smaller study so we have 244 cases and 560 -- 56
3 controls, but you know now they are not really healthy people
4 from the population. They're people who came to the hospital
5 for other diseases.

6 **Q.** Okay. And can you tell me the data that this hospital
7 study found?

8 **A.** So they looked at lots and lots of pesticides, and they
9 also looked at subgroups of non-Hodgkin's lymphoma; but for all
10 cases, the 244 non-Hodgkin's lymphoma, they had 12 exposed
11 cases and for them they estimated a relative risk of 1 with a
12 confidence interval of 0.5 to 2.2 and it was not adjusted for
13 other pesticides.

14 **Q.** Okay. Great.

15 And what table did you get that data out of?

16 **A.** Three.

17 **Q.** Okay. So if we could turn to Table 4, please.

18 Can you explain how the data in Table 4 is different than
19 the data in Table 3?

20 **A.** Yes. So these are people who are starting with the
21 hospital, and at the hospital they have pathologists and these
22 pathologists can tell you we have -- you know, maybe or not --
23 that non-Hodgkin's lymphoma has different subtypes, and so they
24 said, "Well, let's at least look at some major subtypes and see
25 whether these subtypes actually have increases or not."

1 And so here they're giving you an estimate for diffuse
2 large-cell lymphoma follicular and then for chronic lymphocytic
3 leukemia and hairy-cell leukemia.

4 Q. Okay. So let's turn to the next one.

5 THE COURT: Before we do that, how much time do you
6 have on the next one? I'm thinking this might be a good time
7 to wrap up for the day.

8 MS. WAGSTAFF: I think that if I could get through the
9 North American Pooled Project, maybe five or so minutes, that
10 leaves the AHS for tomorrow. That's a good break.

11 THE COURT: Okay.

12 BY MS. WAGSTAFF:

13 Q. All right. If we could turn to, in your binder, 899 and
14 900.

15 A. Yes.

16 Q. Before we publish anything, why don't you tell the jury
17 what the North American Pooled Project is.

18 A. Yeah. So this is not a new study at all. This is
19 actually an effort that unfortunately has never been published
20 yet, but yet another effort to bring more data together so we
21 can do more fancy things with the data; right?

22 And so what data do we have? We have now all of the North
23 American data from these case control studies in Kansas,
24 Nebraska, Minnesota, and Iowa and we are adding the six
25 provinces of Canada to it. So we now have a huge dataset of

1 all those cases in the rural North American states plus the
2 Canadian states. Not new data, just looking at the same data
3 with different tools.

4 Q. Okay. And let me just jump back up to De Roos real quick.
5 Was Dr. Weisenburger an author of De Roos 2003?

6 A. Let me see, which tab is it?

7 Q. 451.

8 A. I should know that.

9 (Witness examines document.) Yes, he was.

10 Q. Okay. And is Dr. Weisenburger also an author of the North
11 American Pooled Project, if you know?

12 A. (Witness examines document.) There's no name on there.

13 Oh, wait. He's not -- yeah. He's on the second slide set from
14 Brazil.

15 Q. Okay. So why don't we go to -- explain what these two
16 documents are, 899 and 900, please.

17 A. So these are now not published results. They are slide
18 decks, and we prepare them to go to conferences, show results,
19 and discuss them with colleagues, and that's what these are.

20 Q. Okay. And these aren't numbered unfortunately, so,
21 Mr. Wolf, if you could turn to the 12th page of Exhibit 899.
22 Yep, that's it.

23 Dr. Ritz, could you tell the ladies and gentlemen of the
24 jury, please, what this data is and the significance of this
25 data, please?

1 **A.** Right. So, again, we are pooling. Now we are pooling
2 across the McDuffie Canadian study and the De Roos American
3 studies, and you can see that we are really increasing the
4 number of cases that reported glyphosate use to 113. That's a
5 really nice number, big number.

6 **Q.** Okay. And so what data was found?

7 **A.** So in this analysis, they are presenting a relative risk
8 of 1.22 with a confidence interval of .91 to 1.63.

9 **Q.** Okay. And is this adjusted or unadjusted?

10 **A.** This is actually adjusted and it's adjusted for 2,4-D use,
11 Dicamba use, and malathion use. So three different pesticides
12 have been entered into the model.

13 **Q.** And if you could please turn to page 14, Mr. Wolf.

14 **A.** Yeah.

15 **Q.** And this is some additional data that the North American
16 Pooled Project found about glyphosate handling NHL risks;
17 right?

18 **A.** Right.

19 **Q.** And is this a dosing analysis?

20 **A.** This is the same analysis we already have discussed with
21 McDuffie where they said "Let's distinguish between the people
22 who use very little, one day or two, and the people who use
23 more than two days." It's the same analysis but it's more
24 data. It's not just Canadian data. It's the American data as
25 well.

1 Q. Okay. So that would mean that this is also a dosing
2 analysis?

3 A. Yes.

4 Q. Okay. And can you tell us the data that this dosing
5 analysis from the North American Pooled Project gives us?

6 A. So the zero to -- more than zero and less equals two is
7 .83, and the confidence interval is 0.51 and 1.34.

8 Q. All right. Let me write that down. So for zero to two
9 days --

10 A. Yeah.

11 Q. -- it's .83?

12 A. Uh-huh.

13 Q. With a confidence interval of -- can you read that again?

14 A. 0.51 --

15 Q. Okay.

16 A. -- to 1.34. So essentially there's no effect. When
17 you're only -- when you're an occasional user, one or two days,
18 no effect. We've seen that before; right? But this --

19 **THE COURT:** Sorry to interrupt, Dr. Ritz.

20 Ms. Wagstaff, you didn't ask for this to be published in
21 front of the jury. Did you want this?

22 **MS. WAGSTAFF:** Oh, yes. Please, can this be published
23 in front of the jury?

24 Thank you, Your Honor.

25 Q. Okay. And so this is -- is this adjusted as well?

1 A. Yes.

2 Q. Okay.

3 A. So the only difference is we have more data, we're doing
4 the same analysis, and now we are also putting these other
5 three pesticides into the model saying we are co-adjusting. We
6 are -- we are taking care of potential bias because people were
7 also exposed to these other pesticides.

8 Q. Okay. And so when you did over two days --

9 A. Right.

10 Q. -- what were the numbers?

11 A. 1.98, so almost 2.

12 Q. 1.98. Okay.

13 A. Uh-huh. And a confidence interval of 1.16 to 3.4.

14 Q. 3.4?

15 A. Uh-huh.

16 Q. Okay. And was that adjusted or unadjusted?

17 A. That was adjusted.

18 Q. Okay. And so this is a statistically significant adjusted
19 dose analysis --

20 A. Correct.

21 Q. -- is that correct?

22 Okay. Now, if you move two over, it looks like the same
23 analysis was done for DLBCL?

24 A. Yes, and this is actually one reason why they probably are
25 trying to do this pooling of data, throwing them all together,

1 because now they have enough cases to also look at subtypes of
2 non-Hodgkin's lymphoma. So they don't have to call all
3 lymphomas the same. They can actually look at different types.
4 And there's this type called DLBCL in the third column there.

5 Q. Can you tell the jury what, if you know, what "DLBCL"
6 means?

7 A. Diffuse lymphocytic B-cell lymphoma.

8 Q. Okay. So DLBCL?

9 A. CL.

10 Q. Okay. And they did two analyses for DLBCL; correct?

11 A. In the same way that we had for overall.

12 Q. Okay. So I'll just put this data on the other side and
13 use this.

14 For the zero to two days, what was the data for DLBCL?

15 A. Again, we see a .77 with a confidence interval of .37 to
16 1.58, meaning there's nothing or, if anything, it's protective,
17 which we don't believe. But, you know, there's no effect if
18 you're an occasional user.

19 Q. Okay. And what about for the people who were in the
20 high-dose group?

21 A. That odds ratio is 2.49 with a confidence interval of 1.23
22 to 5.04.

23 Q. 5.04, okay.

24 And are these adjusted numbers as well for DLBCL?

25 A. Yes.

1 Q. Okay.

2 A. For three different pesticides.

3 Q. Okay. So I just want to square off these as being
4 adjusted dose and statistically significant; right?

5 A. Correct.

6 Q. Okay.

7 A. They actually give you a P for trend. That's a trend test
8 for dose.

9 MS. WAGSTAFF: Okay. Excellent.

10 Your Honor, this would be a good time to stop for the day.

11 THE COURT: Sure. That would be great.

12 Okay. Ladies and gentlemen of the jury, we're done with
13 day one. Thank you for being so attentive.

14 And I'll remind you once again, because of how important
15 it is, don't go home and talk to anybody about this trial or
16 how it's going or what you're learning. Don't do any
17 independent research on your own. Don't look up any terms on
18 the Internet or anything like that.

19 And stay away from any media reports on the case. And if
20 you accidentally come across a media report, please turn away
21 immediately and don't pay attention to it.

22 If you've been exposed to any information that you should
23 not have been exposed to or if you have reason to believe that
24 somebody else on the jury has been exposed to information they
25 should not have been exposed to, please let us know as soon as

PROCEEDINGS

1 you can.

2 And with that, we will see you tomorrow.

3 And, Mr. Pungyan, I'll be with you in a few minutes back
4 there to discuss your issue.

5 (Proceedings were heard out of the presence of the jury:)

6 **THE COURT:** Okay. Thank you, Dr. Ritz. You're free
7 to step down.

8 **THE WITNESS:** Thank you.

9 **THE CLERK:** Please be seated.

10 **THE COURT:** So is there anything you-all want to talk
11 about before I go back and chat with Mr. Pungyan briefly and
12 then bring him out?

13 **MS. WAGSTAFF:** Your Honor, may I take a picture of
14 this just since we're going to leave it in the courtroom?

15 **THE COURT:** Good idea.

16 **MR. KILARU:** Can we do the same, Your Honor?

17 **THE COURT:** Sure.

18 **MS. MOORE:** Not before you talk to the jury.

19 **THE COURT:** Okay.

20 **MS. WAGSTAFF:** I do have one housekeeping item.

21 **THE COURT:** Okay.

22 **MS. WAGSTAFF:** This is Exhibit Number 914. We updated
23 these graphs recently to include that new study that came out,
24 and there was a mistake in the one that I gave you.

25 **MR. STEKLOFF:** We have it.

PROCEEDINGS

1 **MS. MATTHEWS JOHNSON:** We have ours.

2 **THE COURT:** Okay.

3 **MS. WAGSTAFF:** So if you want to just rip out the 914
4 you have and put that in there, that will be great.

5 **MS. MOORE:** Your Honor, we can hole punch it too.

6 **MS. WAGSTAFF:** I'm sorry.

7 **THE COURT:** No worries.

8 **MS. MOORE:** Thank you.

9 **MS. WAGSTAFF:** It just had a dot where there should be
10 a square and a square where there should be a dot.

11 **THE COURT:** Very important distinction.

12 Okay.

13 **THE CLERK:** I'll give that back to you.

14 **MS. WAGSTAFF:** Thank you.

15 **THE COURT:** Okay. Do you want to talk about ground
16 rules for conversations with experts during their testimony?

17 **MR. STEKLOFF:** I think our view, Your Honor, is that
18 once a witness is passed for cross-examination, then the
19 witness should not be -- I would have no problem, for example,
20 them trying to refine and make their examination of Dr. Ritz
21 more efficient now; but once a witness is passed, I think that
22 it runs into issues.

23 **THE COURT:** Sounds good.

24 **MS. WAGSTAFF:** We're okay with that.

25 **THE COURT:** Okay. That will be the rule then.

PROCEEDINGS

1 **MR. STEKLOFF:** And I think the only issue we have to
2 raise is really just what -- it is unclear to us which
3 witnesses plaintiffs planned on presenting. I suspected
4 deposition testimony, but it is unclear to us how they're
5 filling the next day.

6 **THE COURT:** Aren't we supposed to know that by now?

7 **MS. MOORE:** Yes, Your Honor, and we did e-mail them
8 about that. We notified them that tomorrow we will be
9 finishing up with Dr. Ritz, and then our plan is to go right
10 into video deposition and that would be Dr. Portier.

11 There was a little bit of discussion --

12 **THE COURT:** Well, wait a minute. There's a little bit
13 of a problem there.

14 **MS. MOORE:** I know and that's what I was going to get
15 to. We have teed up Dr. Portier and also Dr. Reeves, and we've
16 had meet and confers about that. So depending on the Court's
17 orders, we have the tech people working on getting both of
18 those depositions ready and that way they can take out whatever
19 the Court says excluded, and we'll be ready to roll. So it
20 will be video depositions following Dr. Ritz.

21 **THE COURT:** Well, except that I have not yet received
22 evidentiary objections to any aspects of Portier's testimony
23 that you want to designate, or Reeves for that matter, so I
24 think you need to be ready with something else --

25 **MS. MOORE:** Yes, Your Honor. I understand.

PROCEEDINGS

1 **THE COURT:** -- in case you haven't gotten that to me
2 in time for me to rule on the objections.

3 **MS. MOORE:** I understand, Your Honor. And so to kind
4 of back up and let you know what's happened with that, so of
5 course you know Dr. Portier was taken last week. We have
6 expedited everything as much as we can with the teams coming
7 from Australia.

8 We sent --

9 **THE COURT:** I understand it's hard and I'm sure you've
10 run into problems along the way. All I'm saying is that you
11 cannot count on beginning Dr. Portier's testimony tomorrow and
12 you cannot count on beginning Dr. Reeves' testimony tomorrow
13 because you have not yet given to me the objections to the
14 designated testimony for those two individuals and, therefore,
15 I cannot rule on the objections.

16 So you have to be ready with something else, whether it's
17 the three treating physicians or Dr. Weisenburger or whoever.
18 You need to be ready with another witness in case that hasn't
19 been teed up on time.

20 **MS. MOORE:** I understand, Your Honor, absolutely. No
21 question about that.

22 **THE COURT:** And just to be very clear, it's coming out
23 of your time if you're not ready with something else.

24 **MS. MOORE:** I understand that, Your Honor. I will not
25 let that happen.

PROCEEDINGS

1 Going back to Dr. Portier, we notified the defense that
2 our plan is to present his direct testimony for Phase I on
3 Tuesday, and we asked them if they would be withdrawing any of
4 their objections that were made contemporaneously. They've
5 gotten back to us. I believe I have an e-mail from today on
6 that.

7 So we are now -- we'll be prepared, if the Court would
8 entertain us, to hear some arguments about that. I think some
9 of it is kind of some broad issues. If we could get guidance
10 from the Court, we'll be able to meet and confer and narrow
11 that down so we can try to start Dr. Portier tomorrow after
12 Dr. Ritz is off the stand.

13 So we have done that. It's not been filed with the Court,
14 but there's been meet and confer on that.

15 With respect to Dr. Reeves, I understand it has been filed
16 now with the Court and we do have copies of the transcript that
17 we'll be able to hand to Your Honor. And, again, it's also
18 some big global pictures that we can kind of talk about that
19 will help us know whether or not either side will continue to
20 maintain certain objections.

21 **THE COURT:** So you have the hard copies of Reeves
22 and -- is it Reeves you have?

23 **MS. MOORE:** Dr. Reeves is what we have, yes,
24 Your Honor.

25 **THE COURT:** And this is the hard copy of the

PROCEEDINGS

1 deposition transcript with the objections interposed?

2 **MS. MOORE:** That's correct, Your Honor. So we have
3 copies of that and we've been -- that's after several meet and
4 confers about Dr. Reeves.

5 **THE COURT:** So what do you want me to do? Do you want
6 to have argument about that now or --

7 **MS. WAGSTAFF:** I've got five copies so --

8 **THE COURT:** I think we probably need one or two.
9 Maybe two.

10 **MS. WAGSTAFF:** It's a two-day deposition. So,
11 Your Honor, here's --

12 **MS. MOORE:** Your Honor, so we're -- we had discussed
13 with defense, and I don't know if you wanted to address the
14 juror issue first because I don't want to have him wait, but we
15 were prepared to, if the Court would entertain us, discuss
16 Dr. Reeves, Dr. Blair, and Ross and Dr. Goldstein, as well as
17 Dr. Portier. And some of this can go fairly quickly because
18 once we get an idea from the Court, it's -- there's an
19 objection as to whether we can even play Dr. Blair, Ross, and
20 Dr. Goldstein in Phase I at all.

21 **THE COURT:** I assumed there might be.

22 **MS. MOORE:** So I think, you know, if we get insight
23 from Your Honor on that, then that's going to take away a lot
24 of the issues that we may have with those depositions. So I
25 don't think that's going to take that long.

PROCEEDINGS

1 **THE COURT:** Okay. I'm happy to have a discussion with
2 you in the abstract if that will help, but I don't know if I'm
3 going to be able to rule on the abstract. I might need to
4 actually read the testimony and the objections --

5 **MS. MOORE:** I understand, Your Honor.

6 **THE COURT:** -- and spend a little more time thinking
7 about it.

8 **MS. MOORE:** For example, on Dr. Goldstein, this is
9 his --

10 **THE COURT:** Well, like I said, I'm happy to have an
11 abstract discussion with you after we deal with the juror
12 issue.

13 **MS. MOORE:** Okay.

14 **THE COURT:** But why don't you give me five minutes,
15 I'll go back and chat with him briefly, and then likely we'll
16 bring him out.

17 **MS. MOORE:** Okay.

18 **THE COURT:** By the way, let me ask you this:
19 Assuming -- I passed on certain basic information to you about
20 his situation this morning. Is either side going to want to
21 ask him further questions about that?

22 **MS. MOORE:** I don't believe so, Your Honor. I mean,
23 it sounds like he has an economic hardship similar to what
24 we've -- what you excused other jurors on.

25 **THE COURT:** And so what's -- do both sides agree that

PROCEEDINGS

1 I should excuse him based on what I've described to you?

2 **MS. MOORE:** That's our position, Your Honor.

3 **MR. STEKLOFF:** I think, Your Honor, it's just worth
4 following up, and I do not need to ask any questions. I would
5 be happy for you to follow-up with him; and if the economic
6 hardship still presents, I would defer to your judgment on
7 that. I don't need to talk to him about that.

8 **THE COURT:** Okay.

9 **MR. STEKLOFF:** But I think it is worth following up
10 with him to explain the conversation that you had and just make
11 sure there are no issues.

12 **THE COURT:** Okay. Sounds good.

13 **MS. MOORE:** All right. Thank you, Your Honor.

14 **THE CLERK:** Court is in recess.

15 (Recess taken at 2:54 p.m.)

16 (Proceedings resumed at 2:57 p.m.)

17 (Proceedings were heard out of the presence of the jury:)

18 **THE COURT:** Okay. We are back on the record.

19 Mr. Pungyan, I'm going to repeat for the record what I've
20 already discussed with you back there. So the first thing is
21 that you expressed concern to us that on the day of jury
22 selection, your wife was informed that her hours were being
23 cut. And you initially thought it would be okay to serve on
24 the jury but after you learned that your wife's hours had been
25 cut, that was a real problem for you and your family because

PROCEEDINGS

1 her hours are cut and your hours would be cut because typically
2 you work Friday -- sorry -- Wednesday, Thursday, Friday,
3 Saturday, Sunday at Kaiser.

4 So when I heard of this concern, I got on the phone with
5 the Kaiser general counsel's office, and I said, "Is there
6 anything you can do for this guy given the situation? Can you
7 pay him for, you know, five -- during the time he's on the jury
8 can, you pay him five days a week as he's been working even
9 though he wouldn't be working Wednesday, Thursday, Friday?"

10 And the response I got was that if there was anything in
11 our power to do it, we would; but his employment is governed by
12 a collective bargaining agreement, so it would actually be
13 illegal for us to compensate him for the jury service.

14 So that while we can -- while we can guarantee that he
15 would work a shift on Thursday -- in addition to his regular
16 Saturday and Sunday shift -- we can't unfortunately do anything
17 more than that. And so I relayed that to you this morning, and
18 you expressed the concern to me that that would -- just working
19 on Thursdays in addition to Saturday and Sunday would be
20 inadequate based on the fact that your wife's hours were cut at
21 her job. Have I accurately described our conversation and your
22 feeling about it?

23 **JUROR PUNGYAN:** Yes, Your Honor.

24 **THE COURT:** So is it your feeling that given the
25 situation that I have just described, which was not your fault

PROCEEDINGS

1 as unanticipated, of course, that it would be an economic
2 hardship for you to serve on the jury?

3 **JUROR PUNGYAN:** Yes, Your Honor.

4 **THE COURT:** Does anybody wish to ask Mr. Pungyan any
5 questions?

6 **MS. MOORE:** No, Your Honor.

7 **MR. STEKLOFF:** No.

8 **THE COURT:** I will go ahead and have you go back to
9 the jury room. Sit tight and wait for a report. We will be
10 with you in a few minutes. Thank you very much.

11 (Juror Pungyan exited.)

12 **THE COURT:** Is there anything else anyone wants to say
13 about Mr. Pungyan?

14 **MS. MOORE:** No, Your Honor.

15 **THE COURT:** I was not anticipating losing one of our
16 nine jurors on the first day of trial. It is no fault of his
17 own, and I'm very appreciative for him being willing to serve
18 during selection on Wednesday even though it would have already
19 been financially difficult for him, and I think it's an
20 unexpected development for him means I think we will have to
21 excuse him. So I will be excusing him. Let me go back there
22 real quick and let him know, and I will call you back in just a
23 minute.

24 (Recess taken at 3:00 p.m.)

25 (Proceedings resumed at 3:02 p.m.)

PROCEEDINGS

1 (Proceedings were heard out of presence of the jury:)

2 **THE COURT:** So for the record, I just went back and I
3 told Mr. Pungyan that all the restrictions still apply to him
4 in terms of talking about the case until after the case is
5 over. So he's under a court order now that he's not to speak
6 with any members of the media or anybody else about the case or
7 what's happened thus far.

8 Okay. So what do you-all want to talk about?

9 **MS. MOORE:** Your Honor, on an abstract issue, probably
10 the -- as soon as I say the easiest, it probably will not be
11 the easiest, but the easiest one, we want -- the plaintiff
12 wants to play a very short -- thank you -- a very short
13 deposition of Dr. Goldstein who was designated as Monsanto's
14 corporate representative. And this deposition what we
15 designated I think is around 12 or 13 minutes, Your Honor. And
16 it concerns the 1997 Dr. Acquavella memo.

17 And as the Court will recall, that was one of the issues
18 that we brought to the Court's attention after the phased trial
19 decision came down, and it's Plaintiffs' *Motion in Limine*
20 Number 14, Your Honor, and Pretrial Order 81.

21 And it's our understanding that the Court is permitting us
22 to introduce during Phase I Dr. Acquavella's July 22nd, 1997,
23 memo criticizing the AHS for the purpose of impeaching any
24 Monsanto expert to rely on it.

25 Your Honor, it's our position that instead of having to

PROCEEDINGS

1 wait until their case-in-chief to impeach an expert, we would
2 like to go ahead and play that deposition since it's a
3 corporate rep; and clearly from the opening this morning, we
4 know that the AHS is central to their defense in this case, and
5 that's why we would like to go ahead and play it in Phase I.

6 **MR. KILARU:** Your Honor, we think it would make -- how
7 we understood the ruling was that they could confront the
8 experts who talk about the AHS with the Acquavella memo and ask
9 questions about it as opposed to having in their case-in-chief
10 affirmative testimony played from a witness about what that
11 study says.

12 **THE COURT:** Well, what's the difference at this point?
13 I mean, I think, you know, your argument on that point was well
14 taken, you know, in the abstract; but thinking about it
15 practically now and after, you know, listening to the opening
16 statement and knowing just how much Monsanto is going to be
17 relying on AHS, what's the difference?

18 **MR. KILARU:** Well, I guess just in terms of it being
19 an impeachment issue, you know, there's not really an actual
20 evidentiary statement from anyone about the AHS. As we were
21 told repeatedly I think is correct, the arguments in the
22 openings are not evidence.

23 **THE COURT:** I guess I'm asking you, as a practical
24 matter, what's the difference?

25 **MR. KILARU:** It's more a question of whether we get to

PROCEEDINGS

1 present our position on it first versus the plaintiffs coming
2 in with it, which is how I think an impeachment would typically
3 work. Ultimately I recognize that sort of the point will come
4 in at some point, but I do think to the extent it's an
5 impeachment, the ordering does matter somewhat.

6 **THE COURT:** Well, I think, you know, given the need
7 to -- I mean, it's either going to come -- that testimony is
8 either going to come in now or it's going to come in a little
9 bit later; and I think, you know, in terms of ordering the
10 trial and given the contents of the opening statement -- it's
11 true that an opening statement is not evidence, but something a
12 lawyer says in opening statement can open the door to evidence
13 coming in that might not have come in before.

14 I think -- I just think it, A, it doesn't matter, it
15 really doesn't matter when this evidence comes in; and, B,
16 given the opening statement, I think it would be fine for the
17 plaintiffs to bring that in now.

18 So that's fine. You can play that.

19 **MS. MOORE:** Thank you, Your Honor.

20 And we'll have that ready. And, again, I understand the
21 notice rules and so if there's an objection, we can deal with
22 that.

23 **THE COURT:** Say again.

24 **MS. MOORE:** I understand the notice rules as far as
25 when we have to tell them about depositions. In light of, you

PROCEEDINGS

1 know, the Portier rulings that we need to get from Your Honor,
2 the Goldstein one, which is very short, we can work that out.
3 It's already cut so I would like to go ahead and tell them that
4 that would be our backup deposition tomorrow to be played to
5 try to keep things moving along.

6 **THE COURT:** Okay.

7 **MS. MOORE:** Okay. Thank you, Your Honor.

8 And then the other issue, the other depositions, if I
9 could, Your Honor, do those in conjunction, and that is
10 Dr. Aaron Blair and Dr. Matthew Ross, and --

11 **THE COURT:** Was Ross another member of the IARC?

12 **MS. MOORE:** Yes, Your Honor, he was.

13 **THE COURT:** Okay.

14 **MS. MOORE:** And the Ross deposition is very short. I
15 don't have the exact time. It's less than -- now with the
16 designation, it's less than an hour, Your Honor.

17 But both of these, it's our position, and this relates
18 to --

19 **THE COURT:** I mean, let me just say one thing just to
20 make it clear. You keep referencing the breadth or the
21 brevity, I should say, of the excerpts. You know, you have
22 overall time limits and how you use your time is up to you. So
23 given that you have overall time limits, I'm less concerned
24 with the length or brevity of the excerpts and far more
25 concerned with whether they fit within Phase I or not.

PROCEEDINGS

1 **MS. MOORE:** And that's fair, Your Honor. I probably
2 just have this chess clock running in my head so that's why I
3 keep saying it. So I apologize.

4 This relates to, Your Honor, your order, Pretrial Order
5 Number 81. It's Monsanto's *Motion in Limine* Number 1. And as
6 you'll recall, it's our understanding from the ruling in the
7 second paragraph that, Your Honor, you ruled that witnesses,
8 which would be Dr. Blair and Dr. Ross, who participated in IARC
9 may testify that they were a member of the IARC committee, may
10 further explain how that membership supports their credibility,
11 but must limit their scientific testimony to their own
12 independent conclusions.

13 **THE COURT:** What are you reading from?

14 **MS. MOORE:** Your order, Your Honor.

15 **MR. KILARU:** MIL Pretrial 81.

16 **MS. MOORE:** It's 81, Pretrial Order 81.

17 **THE COURT:** Let me go back there.

18 (Pause in proceedings.)

19 **THE COURT:** Okay. But when I said that, I was
20 referring to expert witnesses who you were calling.

21 **MS. MOORE:** Yes, Your Honor.

22 **THE COURT:** Okay.

23 **MS. MOORE:** And we designated Dr. Blair and Dr. Ross
24 both as nonretained expert witnesses when we did our expert
25 disclosures in November of last year in accordance with the

PROCEEDINGS

1 Court's pretrial order.

2 **THE COURT:** Okay.

3 **MS. MOORE:** And so the reason we've teed this up this
4 afternoon is that we had meet and confers with Monsanto. I
5 think it's their position we shouldn't be allowed to play any
6 part of Dr. Blair and Dr. Ross, even any of it in Phase I. Our
7 position is that we should and we went ahead and did a meet and
8 confer. They didn't waive their objection, Your Honor, to
9 playing it in the entirety, but we went ahead and did a meet
10 and confer. So those depositions have been narrowed down
11 substantially based on that meet and confer.

12 **THE COURT:** I don't think I'm in a position right now
13 to rule on whether Blair and Ross can testify at Phase I. I
14 would think that I would want to look at the content of the
15 testimony.

16 **MS. MOORE:** That's fine, Your Honor. And I can
17 hand -- I think -- Your Honor, I think you already have the
18 color transcripts with the designations, counters, and
19 objections for Dr. Blair. I also have a copy, Your Honor, of
20 Dr. Ross that I can hand to you.

21 **MR. KILARU:** Your Honor, I'm not actually sure that's
22 accurate. I don't think -- I'm not accusing anyone of
23 anything. I think the only ones that have been filed thus far
24 with Your Honor are Reeves and Ross. I do not believe that
25 Blair has been filed or submitted.

PROCEEDINGS

1 **MS. MOORE:** Your Honor, if it has not been filed yet,
2 it's been agreed upon by the parties, and so it may just not
3 have gotten filed, but we did hand you a copy of the transcript
4 that the parties have reached an agreement that that is the
5 designations and the objections that we'll need rulings on.

6 **THE COURT:** I think all I have in front of me right
7 now is Reeves.

8 **MS. MOORE:** Oh. I apologize, Your Honor.

9 **THE COURT:** So I don't know what you filed.

10 **MS. MOORE:** Oh, sorry. Sorry. I misspoke,
11 Your Honor. I'm sorry. That's Dr. Reeves.

12 **THE COURT:** So this is Dr. Reeves' testimony that is,
13 like, ready for me to review for objections?

14 **MS. MOORE:** Yes, Your Honor. Yes, Your Honor. And
15 that's filed. And then I'm handing you now Dr. Ross.

16 I apologize, Your Honor.

17 **THE COURT:** Okay.

18 **MS. MOORE:** And I have a copy for counsel too. And
19 this is the color transcript. My understanding is Dr. Ross is
20 filed and that this is the color transcript that would contain
21 the designations and the objections. And as you can tell,
22 Your Honor, it's not that many pages on Dr. Ross.

23 **THE COURT:** Okay. All right.

24 **MS. MOORE:** And I will come back, Your Honor, on the
25 issue. My understanding is Dr. Blair we have reached an

PROCEEDINGS

1 agreement as to what transcript we should present to you for
2 decision, and I will find out if that's filed. I thought it
3 was so I apologize if I misspoke.

4 **THE COURT:** Okay. But if it's going to be filed, do
5 you have a hard copy there of what is going to be filed?

6 **MS. MOORE:** I'm being told I do not right now --

7 **THE COURT:** Okay.

8 **MS. MOORE:** -- but I will try to get that, Your Honor.

9 **THE COURT:** Okay. And Monsanto's position, I gather,
10 is that I should draw a distinction between Blair and Ross on
11 the one hand and Portier and Jameson on the other hand in terms
12 of whether any testimony should be allowed from them on the
13 IARC and their participation in the conference?

14 **MR. KILARU:** Yes, Your Honor. Could I briefly explain
15 that a little bit?

16 **THE COURT:** Yes.

17 **MR. KILARU:** Just as a technical disclosure matter,
18 both Blair and Ross we acknowledge were disclosed back in
19 November as nontestifying experts, but on the witness list that
20 was filed a couple nights ago they were listed as Monograph 112
21 participants and I think that accurately reflects what their
22 testimony is.

23 They do not have independent scientific conclusions. What
24 the deposition testimony is is them essentially repeating the
25 conclusions of IARC, and that I think would be not what was

PROCEEDINGS

1 envisioned by the *motion in limine* ruling. I think that would
2 go beyond the rule that we intended for IARC to have.

3 **THE COURT:** Okay. I understand. I understand the
4 landscape I think, and I'll just look at the testimony.

5 **MS. MOORE:** Thank you, Your Honor.

6 The only other issue --

7 **MR. KILARU:** Sorry. Just one housekeeping thing to go
8 back on Goldstein.

9 I'm sure we can get a transcript on file if you want to
10 review it. There were a few other more minor objections that I
11 don't know if -- because you haven't seen, you haven't had a
12 chance to rule on. I don't think they will take long, but I
13 just want to flag that I don't think we're sort of camera ready
14 on Goldstein just yet even though I acknowledge your ruling on
15 the broader issue.

16 **MS. MOORE:** Your Honor, we will have the color
17 transcript delivered for Dr. Goldstein and Dr. Blair this
18 afternoon so you will have that in hand.

19 And then the only other point I wanted to bring to
20 Your Honor's attention is that with respect to Dr. Blair, he
21 also was a co-author of the De Roos 2003 and he also was an
22 author in the AHS as well. So that was part of the other
23 reason that he was testifying.

24 **THE COURT:** It seems to me that a lot of -- it's going
25 to depend largely on what the testimony is.

PROCEEDINGS

1 **MS. MOORE:** Your Honor, and we tried to narrow that,
2 and we -- you'll see in Dr. Blair more so than Dr. Ross, but in
3 Dr. Blair the first part of his testimony is his background,
4 his credentials. As you'll recall, he was the head of the work
5 group for IARC so he has pretty lengthy credentials. We tried
6 to narrow that down.

7 And then we went into that he participated in IARC; the
8 conclusion that we very briefly talk about that he reviewed --
9 you know, he was part of the epidemiology subgroup, and that he
10 very briefly he reviewed the studies. He doesn't go into
11 detail like Dr. Ritz has done today because that would be
12 cumulative so we have just highlighted that.

13 You know, I'd be fine, you know, if we wanted to cut that
14 out. We suggested that. The defense has objected to us having
15 him answer questions that he reviewed McDuffie, Eriksson, and
16 De Roos in his discussions about reaching his conclusion to
17 vote for the IARC monograph, but then they did not object when
18 it came to the discussion about the AHS.

19 And so our position is if we're going to talk about
20 epidemiology studies and allow Dr. Blair to say "Here's the
21 ones that we reviewed in reaching our conclusion and our vote,"
22 that it should be all of them and not piecemeal. And so I
23 think that's the main issue there.

24 But, again, it's -- it doesn't get into the weeds of the
25 studies because that's what Dr. Ritz is here to do.

PROCEEDINGS

1 **THE COURT:** Okay. I'll look at it.

2 **MS. MOORE:** Okay. Thank you, Your Honor.

3 **THE COURT:** Anything else you-all want to discuss?

4 **MR. KILARU:** There are a couple.

5 **THE COURT:** So let me just emphasize, given what has
6 been given to me --

7 **MS. MOORE:** I know, Your Honor.

8 **THE COURT:** -- and given what you're anticipating
9 giving to me later, it seems unlikely that I'm going to be able
10 to get to Dr. Portier's testimony, which has not even yet been
11 given to me. So you need to assume that you're not calling
12 Dr. Portier tomorrow.

13 **MS. MOORE:** I understand, Your Honor. And if it would
14 be helpful to the Court, our position would be, from a priority
15 standpoint, Dr. Goldstein and then Dr. Blair and Ross, which
16 you should have this afternoon Dr. Blair, Your Honor.

17 You know, the Reeves, again, there's some big global
18 issues there that, you know, we have that cut so it is ready to
19 go. I mean, you know, I guess it depends, Your Honor, I don't
20 know what your schedule is. And I apologize, Your Honor.
21 We've done our best to try to get those to you as quickly as we
22 can. But, you know, if you'd rather tackle a bigger one, then
23 Dr. Reeves would be the way to start.

24 **MR. KILARU:** Your Honor, I don't know your calendar
25 right now and I wouldn't presume to keep you. There are a

PROCEEDINGS

1 couple big issues that I think would knock out pretty big parts
2 of Reeves that we can talk about, but only if it's helpful to
3 you.

4 **THE COURT:** We can try. Like I said, I'm not sure
5 I'll be able to do it in the abstract but, sure.

6 **MR. KILARU:** Okay. So if I could give just one
7 example, and it's an issue that actually came up earlier. It
8 is this whole issue of the Knezevich and Hogan study and what
9 evidence should come in and should not.

10 As you know, you issued a *motion in limine* ruling that we
11 should continue to confer about what do we think should come
12 in, and we did do that over the weekend and what we had offered
13 to the plaintiffs was -- excuse me -- a stipulation, which is
14 the following: Which is to introduce the studies, which I
15 think we've always thought both the initial review and the
16 later review could come in; and a stipulation that during
17 the -- for this case, that during the process of obtaining EPA
18 approval of glyphosate, Monsanto hired Dr. Kuschner to review
19 the tumor slides from the Knezevich and Hogan study based on
20 concerns about the regulatory consequences of that study.

21 I think that pretty closely mirrors what we had discussed
22 when we had the argument over the sort of pick three pieces of
23 evidence a while ago.

24 That's where we are. The plaintiffs disagree with that
25 and don't want to accept that, which we understand.

PROCEEDINGS

1 But just to give you sort of a concrete example of what
2 the alternative is that's been proposed, there's 100 pages of
3 testimony in Reeves or 100-page range in Reeves, which probably
4 I would say 50 to 60 pages has been designated, and it is
5 literally all of the memos, including the Lyle Gingrich memo
6 that you mentioned in your order, other internal documents.
7 And those are some of the documents we didn't get before
8 opening but were shown in the opening today. So quotes from
9 that exact memo, quotes from other people, the EPA's responses,
10 and so on.

11 And I thought one of the purposes of the discussion was to
12 try to streamline what evidence would come in and come to an
13 advance agreement of that, and we submit that our proposal is a
14 better one for moving forward on that as opposed to really
15 extensive discussions through Reeves and also based on what's
16 been seen already.

17 **THE COURT:** Well, my preliminary reaction to your
18 proposal is that it's too restrictive, and so I don't -- you
19 know, I don't really know -- I'm trying to go to the slide.

20 I mean, let me just say that I think the slide -- given
21 the procedural posture, given the fact that this was -- you
22 know, this was still being worked out as to what could come in
23 and what could not come in, the slide was clearly
24 inappropriate; right? I mean, that -- so that's -- you know, I
25 mean -- and, by the way, this is not the first time this has

PROCEEDINGS

1 happened with the plaintiffs where a dispute was teed up and
2 they didn't wait for the dispute to be resolved before they
3 acted; right? And so all of that will be taken into account in
4 connection with the Order to Show Cause whether Ms. Wagstaff
5 should be sanctioned.

6 And my tentative inclination right now, by the way, is to
7 sanction Ms. Wagstaff \$1,000 for these transgressions. I'm
8 also wondering -- I will think about whether to issue an Order
9 to Show Cause why the entire team should not be sanctioned
10 since presumably the entire team was responsible for those
11 slides and for that opening; but I'll consider that later, and
12 Ms. Wagstaff will have an opportunity to file something tonight
13 by 8:00 o'clock and will have an opportunity to be further
14 heard on the matter before I make my final decision.

15 **MS. MOORE:** Your Honor, when would you entertain
16 argument on the show cause?

17 **THE COURT:** What?

18 **MS. MOORE:** When will you entertain argument on the
19 show cause?

20 **THE COURT:** I'm not sure yet.

21 **MS. MOORE:** Okay. Thank you.

22 **THE COURT:** We'll have to find a time. Maybe tomorrow
23 afternoon. Maybe Wednesday afternoon.

24 **MS. MOORE:** Okay.

25 **MR. KILARU:** I think it would be, through my memory,

PROCEEDINGS

1 about two thirds of the way through towards the end of the
2 animal section is I believe where it came up.

3 **THE COURT:** Okay. But I want to flip to the slide,
4 nonetheless, because, you know, the question is -- you know, as
5 I've said, this concept can come in but it's going to be
6 limited. So the question is how to limit it. I think the way
7 you are proposing my gut reaction is that that's too limited.
8 My guess is that the 50 pages of deposition testimony that they
9 want to designate is not limited enough. I don't know. It's
10 just a guess.

11 This quote "Short of a new study or finding tumors in
12 control groups, what can we do to get this thing off Group C,"
13 where was that from again?

14 **MR. KILARU:** It's from the Gingrich memo, Your Honor.

15 **THE COURT:** It's from the memo we still had not
16 decided if it was going to be admissible?

17 **MR. KILARU:** Yeah.

18 **THE COURT:** Okay. And then what about this
19 February 1985 quote?

20 **MR. KILARU:** I don't have it in front of me so I -- I
21 think you have the only copy.

22 **THE COURT:** From EPA, "A prudent person would reject
23 the Monsanto assumption"?

24 **MR. KILARU:** So that, I'm not sure exactly which
25 discussion, but it is one of the -- we did discuss many

PROCEEDINGS

1 internal -- not internal documents but EPA documents at the
2 point, and I think -- I don't know if -- just on that, I think
3 one concern that we have is if -- and we understand
4 Your Honor's ruling on this, EPA like IARC is supposed to be
5 limited during the trial -- if we have a lot of EPA documents
6 coming in from the 1980s that suggest doubt about glyphosate,
7 it does seem to present a little bit of a --

8 **THE COURT:** No, I mean, I think -- I mean, one of the
9 big questions that was running through my mind is that as
10 Ms. Wagstaff was presenting this, is has she completely
11 forgotten the forest from the trees because the plaintiffs
12 moved to exclude a variety of EPA documents.

13 **MR. KILARU:** Right.

14 **THE COURT:** And to then get up in the opening
15 statement and start quoting a bunch of EPA documents where it
16 was clear that they were probably not going to be admissible
17 and we hadn't even decided whether that memo -- it was still up
18 in the air whether that internal Monsanto memo was going to be
19 admissible, I mean, in addition to being, you know,
20 intentionally violative of my ruling on the *motion in limine* on
21 the mouse studies, it's, I mean, incredibly dumb. You know, I
22 can't believe that she would have risked opening the door to
23 all of the EPA studies, all the EPA documents, that they wanted
24 to exclude and that I ruled are excludable.

25 **MR. KILARU:** Right.

PROCEEDINGS

1 **THE COURT:** So, you know, there's an issue of
2 misconduct here but there's also an issue of just, you know,
3 are the plaintiffs so intent on committing misconduct, that
4 they're not realizing that they're opening the door to bad
5 evidence against them. So those are the issues that I'm going
6 to need to think about. But again I'm not sure I can give you
7 an abstract ruling.

8 **MR. KILARU:** That's fine, Your Honor. I do think
9 that's helpful because one of our concerns is that one aspect
10 of the EPA story doesn't come in and maybe the later aspects
11 that we've got come out.

12 **THE COURT:** I think they may have opened the door in
13 their opening statement. I think they may have opened the door
14 to the later EPA documents. I think that's a real possibility.

15 **MS. MOORE:** And, Your Honor, if I can address two
16 things quickly; that with respect to the EPA, what we moved to
17 exclude were two documents in particular. And the discussion
18 that you're referencing --

19 **THE COURT:** Oh, I know. I know what you moved to
20 exclude.

21 **MS. MOORE:** Okay.

22 **THE COURT:** And it was totally improper to be quoting
23 those EPA documents in the opening statement, and the whole
24 point was that -- the whole point of Monsanto's argument for
25 why those EPA documents that you moved to exclude should come

PROCEEDINGS

1 in were that they need to tell the whole picture because the
2 plaintiffs are trying to tell a misleading picture about the
3 EPA.

4 And so now that the plaintiffs have painted part of that
5 picture in their opening statement, it may very well be that
6 they've opened the door to those later EPA documents, and
7 that's something that I will need to consider in addition to
8 sanctioning Ms. Wagstaff for.

9 **MS. MOORE:** And, Your Honor, we'll address that in the
10 response then. Thank you.

11 **MR. KILARU:** Other than that, Your Honor, I don't know
12 that we need to necessarily back and forth, though I'm
13 obviously happy to do whatever is convenient.

14 I thought I could just tell you what the other set of
15 objections are in broad brush that we made in case that helps.

16 **THE COURT:** Okay.

17 **MR. KILARU:** So just in categories. One is the --

18 **THE COURT:** You're talking about the Reeves testimony?

19 **MR. KILARU:** In Reeves, yes. So one is Knezevich,
20 which we just discussed.

21 A second is testimony sort of asking for Monsanto's
22 official position on other pieces of science and about the
23 general science around Roundup, which we think is more a
24 Phase II issue than Phase I issue.

25 A second category -- and just so you have it, there's some

PROCEEDINGS

1 examples of that on pages 29 and 30 and 182, just so you know
2 where the categories are that I'm talking about.

3 Second would be sort of failure-to-test arguments, that
4 certain tests weren't run. Our position would be that that's
5 at most a Phase II issue without proof of what the studies
6 would show. And there's examples of that at pages 32 to 35,
7 65, 519 to 22. So, for example, questions about "You didn't
8 run this kind of test," I think our position would be that
9 absent proof of what that test would have showed, that doesn't
10 push the causation inquiry one way or another.

11 Third, there are a bunch of discussions of internal
12 e-mails among Farmer and Acquavella and Heydens and others
13 about reactions to studies. And I know we talked about the AHS
14 '97 memo but there were also some other *motion in limine*
15 rulings about other internal reactions to studies. So, for
16 example, there was a Farmer e-mail about the McDuffie abstract
17 and whether something was in it or out of it; and there's a lot
18 of e-mails of that nature that I think they're proposing to
19 introduce and try to discuss with Mr. Reeves. So that's just
20 another category of those.

21 I actually think that's it in terms of broad-brush
22 categories.

23 **THE COURT:** Okay. Anything else?

24 **MS. MOORE:** I don't think so, Your Honor. We've set
25 forth our position in the transcript and as to why that

PROCEEDINGS

1 information should come in. It's not getting in to -- we went
2 back and removed anything dealing with ghostwriting. Of
3 course, unless they open the door later. But this is about the
4 actual scientific studies, and so that's what we narrowed down
5 Dr. Reeves' testimony.

6 **THE COURT:** Okay.

7 **MS. MOORE:** Okay.

8 **MR. KILARU:** Just one, sorry, Your Honor, last
9 housekeeping matter.

10 **THE COURT:** Sure.

11 **MR. KILARU:** On the exhibit disclosures, and this
12 might be something that could have helped with this morning,
13 but our understanding is that the exhibits that are to be
14 disclosed are basically anything that's marked with an exhibit
15 in the case. So if something is marked as, say, Exhibit 904
16 and they intend to use that on an examination, or we do as well
17 and we would comply, that that should be disclosed as opposed
18 to if an exhibit is being shown sort of for pure demonstrative
19 purposes. I don't think that would fall outside the rule.

20 **THE COURT:** Yes, that's correct.

21 **MR. KILARU:** Okay. Thank you.

22 **MS. MOORE:** And, Your Honor, the clarification, the
23 reason that he is raising this is that we reached an agreement
24 last week that demonstratives itself do not need to be on the
25 exhibit list.

PROCEEDINGS

1 When we first did the exhibit list, we --

2 **THE COURT:** Disclose to them any documents,
3 demonstratives, or anything that you intend to use.

4 And, by the way, on that note, I'm going to require both
5 sides to disclose their closing argument slides to me in
6 advance. So you're going to have to get your closing argument
7 slides done in advance because I'm going to review them in
8 advance.

9 **MS. MOORE:** Okay. But not to each other; correct?

10 **THE COURT:** I mean, part of me wonders if you now
11 should be disclosing to each other, but I'd be fine just
12 reviewing them myself.

13 **MS. MOORE:** Okay. Thank you, Your Honor.

14 And just to clarify, I mean, because here's what kind of
15 happens with demonstratives, as the Court I'm sure is aware, is
16 that those are works in progress; and right now our rule is
17 that we have to exchange exhibits, which we've been doing, 48
18 hours in advance for a witness. And, you know, typically
19 you're preparing with the expert the day before, and so we
20 would just ask that if it's demonstratives, that we would do
21 that the night before instead of 48 hours in advance.

22 **THE COURT:** Any problem with that?

23 **MR. KILARU:** I think we're all on the same page. So
24 just to give two examples that are in the courtroom. The
25 charts up here, you know, I think those to me, I don't know

PROCEEDINGS

1 that those would need to be disclosed because they are sort of
2 demonstratives.

3 I guess my concern is that maybe an exhibit, like, say,
4 I'm just going to use a random number, Exhibit 904, if they're
5 going to use that, whether as a demonstrative or not, I think
6 we should know that that's part of what they're going to be
7 presenting so we have an opportunity to cross-examine and
8 vice versa. That's, I think -- that's the point I was trying
9 to impress.

10 **THE COURT:** So you're saying you don't want
11 demonstratives that are not identified as exhibits to be
12 disclosed?

13 **MR. KILARU:** I'd probably phrase it the other way,
14 which is if an exhibit -- if something on the exhibit list is
15 going to be used with the witness in any capacity, we think
16 that that should be disclosed.

17 **THE COURT:** Yeah. That sounds fine.

18 **MS. MOORE:** And what we had done is we were disclosing
19 to them what's on the exhibit list that's going to be entered
20 into evidence. If we were just publishing --

21 **THE COURT:** Anything you're going to use.

22 **MS. MOORE:** Okay. All right. But we can do the
23 demonstratives the night before instead of 48 hours?

24 **THE COURT:** Sure.

25 **MS. MOORE:** Okay.

PROCEEDINGS

1 **THE COURT:** That's fine.

2 **MS. MOORE:** Thank you, Your Honor. I appreciate that.

3 **THE COURT:** Okay.

4 **MR. KILARU:** Thank you.

5 **THE CLERK:** Court is adjourned.

6 **MS. MOORE:** Your Honor, I apologize. We had a
7 request -- I'm so sorry.

8 We had a request about bringing in an extra TV screen to
9 show the documents for Dr. Portier's deposition because of the
10 way it was filmed in Australia. We need to have one additional
11 screen. I just want to make sure we had your permission to do
12 that.

13 **THE CLERK:** I e-mailed you about this earlier today --

14 **MS. MOORE:** I'm sorry. I haven't checked my e-mail.
15 Sorry.

16 **THE CLERK:** -- and there was a proposed order.

17 I e-mailed the whole group that was on there and it was
18 due by 1:00 p.m. today a proposed order so he could review it,
19 and that way they could get it in the building.

20 **MS. MOORE:** I apologize, Ms. Melen. Because I had
21 been in court all day --

22 **THE COURT:** It doesn't sound like Portier is coming on
23 tomorrow anyway so hopefully you can find the right time to get
24 it done.

25 **THE CLERK:** Okay. We'll chat about a bunch of stuff

PROCEEDINGS

1 anyway.

2 **MS. MOORE:** Thank you.


3 (Proceedings adjourned at 3:31 p.m.)


4 ---oOo---

5
6
7 **CERTIFICATE OF REPORTERS**

8 I certify that the foregoing is a correct transcript
9 from the record of proceedings in the above-entitled matter.

10
11 DATE: Monday, February 25, 2019

12
13
14
15 
16 _____
17 Jo Ann Bryce, CSR No. 3321, RMR, CRR, FCRR
18 U.S. Court Reporter

19 
20 _____
21 Marla F. Knox, RPR, CRR
22 U.S. Court Reporter
23
24
25